

FILED

19 JAN 2010 01:37 pm



Anapol Schwartz
Civil Administration
K. PERMSAP

A T T O R N E Y S A T L A W

Sol H. Weiss, Esquire
1710 Spruce Street
Philadelphia PA 19103
sweiss@anapolschwartz.com

(215) 735-2098 Direct Dial
(215) 875-7701 Direct Fax

1710 Spruce Street
Philadelphia, PA 19103

1040 Kings Highway North
Suite 304
Cherry Hill, NJ 08034

252 Boas Street
Harrisburg, PA 17101

146 North 6th Street
Reading, PA 19601

230 N. Monroe Street
Media, PA 19063

866-735-2792 Toll Free

www.AnapolSchwartz.com

January 19, 2010

The Honorable Sandra Mazer Moss
Philadelphia Court of Common Pleas
Room 653 City Hall
Philadelphia, PA 19107

Re: *In Re: Avandia Litigation*; February Term 2008, No. 2733 (Avandia Mass Tort Litigation)

Opposing Counsel: Christopher Wasson, Esquire and Joseph Crawford, Esquire of Pepper Hamilton, LLP

Filing Date: January 19, 2010

Response Date: February 1, 2010

Plaintiffs' Motion re: Defendant's *Ex Parte* Contacts with Plaintiffs' Treating Physicians

Case ID: 080202733

Control No.: 10012195
Anapol, Schwartz, Weiss, Cohen, Feldman & Smalley, P.C.

Dear Judge Moss:

Plaintiffs respectfully request by and through liaison counsel, Anapol Schwartz, that this Honorable Court hereby enter an Order directing defendant, GlaxoSmithKline (hereinafter "GSK") to cease and desist communicating *ex parte* with plaintiffs' treating physicians. It has come to plaintiffs' counsel's attention during the course of several depositions that defense counsel or defendant, through its sales representatives, have been communicating *ex parte* with plaintiffs' treating physicians prior to depositions in an attempt to either create bias and influence the physician's testimony or to gather information that will influence the course of the deposition and thereby the litigation.

It has recently come to light that GSK has engaged in *ex parte* communications with plaintiffs' treating physicians without the plaintiffs' knowledge or consent prior to the treating physicians' depositions. Specifically, Dr. Andrew G. Polakovsky, a treating physician for plaintiff Samuel Thomas, testified that a GSK representative informed him sometime before his scheduled deposition that he "was going to get papers or something like that regarding one of [his] patients."

See the deposition of Dr. Andrew G. Polakovsky taken October 20, 2009 at lines 85:3-14 and attached hereto as Exhibit A:

- Q. Have you spoken to any representative from GlaxoSmithKline prior to this deposition with regard to this lawsuit?
- A. There was a representative that stopped in my office some time in August, and I can't recall the date, who stated that I was going to get papers or something like that regarding one of my patients. She did not mention the patient specifically, and she told me, "don't shoot the messenger," and that was –
- Q. So this was a sales rep?
- A. This was one of the sales reps, yes.

Similarly, Dr. Francis X. Perna, treating physician for plaintiff Frank Schreffler, testified that a GSK sales representative gave him studies related to Avandia before his scheduled deposition seemingly in anticipation of the deposition. The studies in question are favorable to GSK's position that Avandia does not cause an increased risk for myocardial infarction and Dr. Perna, a thought leader for GSK, based his testimony on causation on the studies in question.

See the deposition of Dr. Francis X. Perna taken November 5, 2009 at lines 162:24 to 163:19 and attached hereto as Exhibit B:

- Q. (By Ms. Finken) Has any GSK sales representatives spoken to you about your deposition today?
- A. No. They supplied me with a copy of RECORD and BARI-2D which I really haven't looked at. I have not prepared these -- looking at these studies for this deposition.
- Q. When did they provide you with those studies?
- A. And I suspect they had these copies made for a lot of us. Probably several weeks ago.

- Q. Did they -- what did they say to you when they provided you with the studies?
- A. They said here are the studies, here's the studies on RECORD and BARI-2D trial. I didn't need them. I mean, I had -- by then I had already seen the subanalysis or the preliminary analysis at the ADA meeting in June.
- Q. Did they provide you with those studies in anticipation of your deposition in this case?
- A. I think they probably did. They didn't say so, but I think they probably did.

Earlier during the deposition testimony, Dr. Perna testified as follows:

- Q. (By Ms. Finken) I believe you stated that recently you have put some patients back on Avandia, is that correct?
- A. Yes.
- Q. And I believe you said one of the studies was ADOPT, is that right?
- A. ADOPT was one of the first, yes.
- Q. And, RECORD was one of the other ones?
- A. RECORD.
- Q. And then there was a third study that I didn't catch the name on.
- A. Called the BARI-2D trial. B-A-R-I.

See Exhibit B, page 314:16-315:6.

In addition, during the deposition of Muhamed Saleh Fauor, M.D., treating physician for Plaintiff Joe Self, Dr. Fauor admitted that he spoke to Attorney for GSK, Anthony Vale, and another representative of GSK named "Pete" prior to his deposition and during this conversation he disclosed to them that his uncle still takes Avandia.

See the deposition of Dr. Muhamed Saleh Fauor taken on November 25, 2009, at lines 40:21 to 42:20 and attached hereto as Exhibit C:

- Q. Okay. Doctor, prior to your deposition today, did you speak with anyone at GlaxoSmithKline about this deposition?
- A. Only with Mr. Vale and Pete.
- By Mr. Vale: Pete, just to set up the deposition.
- Q. (By Mr. Dickens) What was the substance of that conversation?
- A. Well, you know, setting up the time and date and I asked them I need the medical record before the date. That's all.
- Q. Did you ever tell anyone at GlaxoSmithKline that you had a family member on Avandia?
- A. Did I tell him? Yes I did.
- Q. You told who?
- A. I told Mr. Vale.
- Q. When did you tell Mr. Vale that?
- A. When I talked -- I spoke with him only one time.

During GSK's direct examination of Dr. Fauor, Mr. Vale, already knowing the answer from his *ex parte* communication with the doctor, asked Dr. Fauor whether he had any family members who take Avandia. See Exhibit C, 10:9-25.

Plaintiffs had no knowledge of GSK's *ex parte* contacts until after they occurred. Furthermore, Plaintiffs do not know the extent or manner of defendant's *ex parte* contacts with other treating physicians related to the Avandia litigation.

The practice of communicating *ex parte* with plaintiffs' treating physicians infringes on Pennsylvania law, and the law of many other jurisdictions, which prohibits contact with a plaintiff's treating physician without the plaintiff's consent. For all of the following reasons, plaintiffs request the Court's intervention to prohibit defendants from contacting plaintiffs' treating physicians *ex parte*.

PENNSYLVANIA POLICY, LAW, AND RULES OF CIVIL PROCEDURE PROHIBIT CONTACT WITH PLAINTIFF'S TREATING PHYSICIAN WITHOUT CONSENT

Pennsylvania law prohibits contact with a plaintiff's treating physician without the plaintiff's consent or by method of discovery authorized in the Pennsylvania Rules of Civil Procedure. See Pa. R.C.P. 4003.6. Before the Pennsylvania Supreme Court formally adopted this rule, courts relied on the public policy that a physician's professional and fiduciary duty to her patients bars her from participating in *ex parte* interviews with defense counsel. See *Hoffmeyer v. Pell*, 23 Pa. D. & C.3d 448, 453 (Pa. C.P. 1982); *Manion v. N.P.W. Medical Center*, 676 F. Supp. 585 (M.D. Pa. 1987). Further, the courts found that the potential for doctors to be exposed to tort liability for breach of a patient's right to privacy or to professional discipline provides a significant reason for refusing to allow private *ex parte* interviews between defendants and plaintiffs' treating physicians. See *Alexander v. Knight*, 25 D.&C.2d 649, 655 (Phila. Cty. 1961), *aff'd per curiam on opinion below*, 177 A.2d 142 (Pa. Super. 1962) (reasoning that members of the medical profession owe "a duty to aid the patient in litigation" and "a duty to refuse affirmative assistance to the patient's antagonist in litigation," subject to the physician's obligation "to speak the truth... only at the proper time.").

The Pennsylvania Supreme Court expressly codified Pennsylvania's policy of protecting the confidential nature of the of the physician-patient relationship by enacting Pennsylvania Rule of Civil Procedure 4003.6, Discovery of Treating Physician, in 1991.¹ In the pertinent part the rule provides:

Information may be obtained from the treating physician of a party only upon written consent of that party or through a

¹ Rule 4003.6 was anticipated by Chief Judge William J. Nealon in *Manion v. N.P. Medical Center of N.E. Pennsylvania Inc.*, 676 F. Supp. 585 (M.D. Pa. 1987). In *Manion*, counsel for a Defendant doctor spoke to Plaintiff's treating physician without telling opposing counsel. The court said: "The public policy favoring confidentiality between a physician and his patient compels this court to preclude defense counsel from calling [the treating physicians] as expert witnesses at trial." Chief Judge Nealon continued: "[T]his court believes that the Pennsylvania Supreme Court, if confronted with the issue, would at least require reasonable notice to a plaintiff or his counsel before defense counsel may communicate with plaintiff's treating physician." *Id.* at 595.

method of discovery authorized by this chapter. This rule shall not prevent an attorney from obtaining information from

- (1) the attorney's client,
- (2) an employee of the attorney's client, or
- (3) an ostensible employee of the attorney's client.

See Pa. R.C.P. No. 4003.6 (emphasis added). The Rule effectively recognized a defendant's duty to refrain from *ex parte* contacts with a plaintiff's treating physician. It was designed to reasonably limit a defendant's communications with a plaintiff's treating physician in the wake of *Moses v. McWilliams*, the Superior Court decision condoning the use of private discussions between defense counsel and plaintiffs' treating physician as a cost-efficient alternative to formal discovery. See *White v. Behlke*, 65 Pa. D. & C.4th 479, 486 (Lackawanna Cty. 2004) referring to *Moses v. McWilliams*, 549 A.2d 950 (1988), appeal denied, 558 A.2d 532 (Pa. 1989). In promulgating Rule 4003.6, the Supreme Court upheld the formal discovery process and strictly limited defendants' communications with treating physicians to filed discovery. See *Jakobi v. Ager*, 45 Pa. D. & C.4th 189, 193 (Phila. Cty. 2000). The plain language of Rule 4003.6 clearly indicates that unless defense counsel secures the written consent of the patient, [s]he may only obtain information from a treating physician by way of written interrogatories, requests for production of documents or depositions which require advance notice to the patient and afford the patient an opportunity to object prior to the disclosure of irrelevant or privileged matter. See *White*, 65 Pa. D. & C.4th at 487. The dual purpose behind the Rule is to preclude a treating physician from acting in an adverse capacity to a patient, while protecting the right of the defense and the court to obtain full access to truthful testimony concerning past medical care. *Jakobi*, 45 Pa. D. & C.4th at 193.

After the adoption of Pa.R.C.P. 4003.6, the Superior Court in *Marek v. Ketyer*, examined the breadth of the *ex parte* proscription. 733 A.2d 1268 (Pa. Super. 1999), allocatur denied, 749 A.2d 471 (Pa. 2000).² In *Marek*, the court held that Pa.R.C.P. 4003.6:

[D]oes not limit a treating physician from disclosing only that information learned in confidence. **Rather, it prohibits a treating physician from providing the opposing party with any information without written consent of the patient**Courts have recognized the value of a rule prohibiting *ex parte* communications between treating physicians and patients' opposing counsel. Among the concerns prompting the development of rules, regulations and legislative enactments is the recognized privacy interest underlying the physician patient relationship and the physician's duty of loyalty to the patient....Also of concern is the potential tort liability physicians may face for breach of privacy, **as well as the potential that**

² The Supreme Court of Pennsylvania has not specifically addressed *ex parte* contacts with treating physicians since the adoption of Pa. R.C.P. 4003.6.

defense counsel may seek to improperly influence the physician or to dissuade the doctor from testifying.

Id. at 1269-70 (emphasis added). The *Marek* Court, which granted a new trial where the plaintiff's treating physician communicated with defense counsel *ex parte* and testified as a defense expert on liability without plaintiff's consent in violation of Rule 4003.6, also found that:

Rule 4003.6 is clear in its directive. Only upon consent or through a method of authorized discovery may information be obtained from a party's treating physician. These procedures protect both the patient and the physician by ensuring that adverse counsel will not abuse the opportunity to contact or interrogate the physician privately. When formal discovery is undertaken in the presence of a patient's counsel it can be assured that irrelevant medical testimony will not be elicited and confidences will not be breached, preserving the trust which exists between doctor and patient.

Id. at 1270(emphasis added).

The only other appellate decision discussing Rule 4003.6 did not specifically address whether an *ex parte* communication between the treating physician and opposing counsel was in violation of Rule 4003.6. *See Alwine v. Sugar Creek Rest, Inc.*, 883 A.2d 605 (Pa. Super. 2005). Rather, based on its heightened appellate review for abuse of discretion, the *Alwine* Court did not grant a new trial where the trial court admitted testimony from the treating physician despite alleged *ex parte* communications.³ *Id.* "[A]lthough any *ex parte* communication between [the treating physician] and Appellee's counsel may have been a violation of Rule 4003.6, the record does not suggest, nor does Appellant argue, that the testimony prejudiced Appellant or improperly affected the verdict in any way. *Id.* at 611.

Pennsylvania trial courts have also strictly enforced the ban against *ex parte* contact with plaintiffs' treating physicians and have shown little tolerance for violations of Rule 4003.6. *See e.g. White*, 65 Pa. D. & C.4th at 487(precluding the defendants and their counsel and representatives from engaging in *ex parte* communications with the plaintiff's treating physician); *Jakobi*, 45 D.&C.4th at 194-95 (disqualifying defense counsel from representing the defendant because defense counsel conversed with the plaintiff's treating physician in violation of Rule 4003.6 after the doctor had been subpoenaed for a deposition); *Tollari v. General Motors Corp.*, 40 D.&C.4th 339, 346-48 (Allegheny Cty. 1998) (ordering defense counsel to return a letter sent by plaintiff's treating

³ The procedural posture of the case required the court to review of a trial court's evidentiary determinations and "reverse only upon a finding that the trial court abused its discretion or committed an error of law." 883 A.2d at 610 *citing Miller v. Ginsberg*, 874 A.2d 93, 97 (Pa. Super. 2005). Further, in order to constitute reversible error the "evidentiary ruling must not only be erroneous, but also harmful or prejudicial to the complaining party. Evidentiary rulings which do not affect the verdict will not provide a basis for disturbing the jury's judgment." *Id.*

physician and prohibiting defense counsel from referencing the substance of the letter during trial where plaintiff provided defense counsel with a signed authorization for release of medical records but did not consent to *ex parte* discussions or solicitation of further reports from his treating physician); *but see Bernick v. Inglis House*, 2008 Phila. Ct. Com. Pl. LEXIS 155 (Pa. C.P. 2008)(permitting *ex parte* communications with plaintiff's treating physician where it was undisputed that the treating doctor was an agent of the defendant in the case).

PENNSYLVANIA STATE AND FEDERAL COURTS ARTICULATE PUBLIC POLICY CONCERNS RESULTING FROM EX PARTE COMMUNICATIONS

Ex parte communications between a defendant and plaintiff create a probability of collusion and unintentional waivers of privilege. The Middle District of Pennsylvania has expressed fear that *ex parte* communications could encourage improper discussions or collusion between doctors, namely plaintiffs' treating physicians, and the attorneys defending the doctors' colleagues. *Manion*, 676 F. Supp. at 593. "*Ex parte* interviews could develop into a discussion of the impact of a jury's award upon a physician's professional reputation, or the rising cost of malpractice insurance premiums." Without knowing the nature of the confidential information beforehand, a defendant may unknowingly elicit a response containing information outside the scope of the plaintiff's waiver of privilege. Thus, no *ex parte* contact can be permitted.

Similarly, as discussed *supra*, *ex parte* communications could expose physicians to civil liability. *See Alexander*, 177 A.2d 142. The potential for doctors to be exposed to tort liability for breach of a patient's right to privacy or to professional discipline for unprofessional conduct provides this Court with a significant reason for refusing to allow private *ex parte* communication between the defendants and plaintiffs' treating physicians.

COURTS AROUND THE COUNTRY HAVE DENIED DEFENDANTS' REQUESTS FOR EX PARTE COMMUNICATIONS WITH PLAINTIFFS' TREATING PHYSICIANS

Many courts around the country have held that a defense attorney who contracts a plaintiff's treating physician without the plaintiff's express consent violates state law, professional ethics, or the physician-patient privilege of confidentiality. *See e.g. Harlan v. Lewis*, 982 F.2d 1255 (8th Cir.) *cert. denied*, 114 S. Ct. 94 (1993). In the *Vioxx* New Jersey state litigation, the court would not permit *ex parte* communications between the defendant and the plaintiffs' treating physicians. *See In re Vioxx*, New Jersey Superior Court Case No. 619, Memorandum of Decision on Motion dated November 17, 2004. The court recognized that "given the large number of cases involved in this mass tort and even the larger number of doctors involved, the court would be unduly burdened by hearings to determine the permissible scope of each interview." Likewise, other courts have denied defendants the right to engage in *ex parte* contact with plaintiffs' treating physicians. *See e.g. Horner v. Rowan Companies, Inc.*, 153 F.R.D. 597, 602 (S.D. Tex. 1994); *Alston v. Greater S.E. Cmty. Hosp.*, 107 F.R.D. 35 (D.D.C. 1985); *Duquette v. Superior Court*, 778 P.2d 634 (Arz. Ct. App. 1989); *Torres v. Superior Court*, 221 Cal. App. 3d 181 (Cal. Ct. App. 1990); *Neal v. Boulder*, 142 F.R.D. 325 (D. Colo. 1992); *Gobuty v. Kavanagh*, 795 F. Supp. 281 (D. Minn. 1992); *Pourchot v. Commonwealth*


Edison Co., 587 N.E.2d 589, 591 (Ill. App. Ct. 1992); *Loudon v. Mhyre*, 756 P.2d 138 (Wash. 1988); *Johns v. United States*, 1997 U.S. Dist. LEXIS 17979 (E.D. La. Nov. 6, 1997). A prohibition on *ex parte* interviews, these courts assert, does not prevent defense counsel from obtaining relevant material; it simply insures that only privileged information will be acquired. *E.g.*, *Harlan*, 141 F.R.D. at 111.

Similarly, MDL courts have determined that *ex parte* communications between defendants and plaintiffs' physicians are not appropriate. *See e.g. In re Human Tissue Prods. Liab. Litig.*, No. 2:06-135 (D.NJ.), MDL No. 1763, Order of September 11, 2006; *In re Prempro Prods. Liab. Litig.*, MDL No. 4:03-CV-1507, Superseding Order re *Ex Parte* Physician Interview, September 16, 2005 (prohibiting *ex parte* interviews of Arkansas residents under Arkansas privilege law); and *In re Vioxx Prods. Liab. Litig.*, 230 F.R.D. 473 (E.D. La. 2005) (Judge Fallon issued an order preventing defendants from having *ex parte* communications with treating physicians relating to plaintiffs' claims on a uniform basis).

These courts have recognized that permitting *ex parte* contacts in complex cases raises a host of management and discovery problems for the parties and the court. This management problem is even more acute in the context of mass tort proceedings where the court must manage hundreds (if not thousands) of cases.

In closing, it is important to remember that this Court has wide discretion in deciding case management and discovery issues in considering plaintiffs' instant request.⁴ Based on the foregoing, and in keeping with the public policy of our Commonwealth, the intent of the Pennsylvania Supreme Court, the directive of other states and MDL courts around the country, and in order to protect the rights of the plaintiffs in upholding the physician-patient privilege, this Court should enter an Order prohibiting defendants and defense counsel from communicating *ex parte* with plaintiffs' treating physicians. In addition, plaintiffs respectfully request that this Honorable Court enter an Order prohibiting any contact between sales representatives from GSK and plaintiffs' treating physicians during the pendency of this litigation.

Respectfully submitted,



Sol H. Weiss
Tracy A. Finken

cc: Christopher W. Wasson, Esquire
Joseph C. Crawford, Esquire
Joseph Roda, Esquire
Jerome Shestack, Esquire

⁴ In supervising discovery, the trial court has broad discretion to take such action as it deems appropriate to insure prompt and adequate discovery. *Kerns v. Methodist Hosp.*, 393 Pa. Super. 533, 544 (Pa. Super. Ct. 1990)(Citations omitted).

EXHIBIT A

In Re:
Avandia/Thomas v.
GSK

Andrew G. Polakovsky, M.D.
October 20, 2009

GOLKOW TECHNOLOGIES, INC.
Excellence In Court Reporting For Over 20 Years
877.370.3377
deps@golkow.com

Original File ap102009.txt
Min-U-Script® with Word Index

Case ID: 080202733
Control No.: 10012195

Page 1

1 IN THE COURT OF COMMON PLEAS
 2 PHILADELPHIA COUNTY, PENNSYLVANIA

3 IN RE: AVANDIA LITIGATION) February Term, 2008
 4 THIS DOCUMENT RELATES TO:) No. 2733
 5 SAMUEL THOMAS and RUTH ANN THOMAS,)
 6 Plaintiffs,) JURY TRIAL DEMANDED
 7 - vs -) December Term, 2007
 8 SMITHKLINE BEECHAM CORPORATION,) Case No. 2483
 9 GLAXOSMITHKLINE) Avandia Case: "TV"
 10 SMITHKLINE BEECHAM CORPORATION)
 11 d/b/a GLAXOSMITHKLINE,)
 12 Defendant.)

13 - - - -

14 VIDEOTAPE DEPOSITION OF: ANDREW G. POLAKOVSKY, M.D.

15 - - - -

16 DATE: October 20, 2009
 17 Tuesday, 1:00 p.m.

18 LOCATION: DAVIES, MCFARLAND &
 19 CARROLL, P.C.
 20 One Gateway Center
 21 10th Floor
 22 Pittsburgh, PA 15222

23 TAKEN BY: Plaintiffs

24 REPORTED BY: JoAnn M. Brown, RMR, CRR
 25 Notary Public
 Reference No. JB15262

Page 2

1 VIDEOTAPE DEPOSITION OF ANDREW G. POLAKOVSKY, M.D.,
 2 a witness, called by the Plaintiffs for examination,
 3 in accordance with the Pennsylvania Rules of Civil
 4 Procedure, taken by and before JoAnn M. Brown, RMR,
 5 CRR, a Court Reporter and Notary Public in and for
 6 the Commonwealth of Pennsylvania, at the offices of
 7 Davies, McFarland & Carroll, P.C., One Gateway
 8 Center, 10th Floor, Pittsburgh, Pennsylvania, on
 9 Tuesday, October 20, 2009, commencing at 1:02 p.m.

10 APPEARANCES:

11 FOR THE PLAINTIFFS:
 12 THE LANIER LAW FIRM, P.C.
 13 Catherine T. Heacox, Esquire
 14 cth@lanierlawfirm.com
 15 Paul R. Cordella, Esquire
 16 prc@lanierlawfirm.com
 17 126 East 56th Street, 6th Floor
 18 New York, New York 10022
 19 P 212-421-2800
 20 F 212-421-2878

21 FOR THE DEFENDANT:
 22 PEPPER HAMILTON, LLP
 23 Christopher W. Wasson, Esquire
 24 wassonc@pepperlaw.com
 25 3000 Two Logan Square
 Eighteenth and Arch Streets
 Philadelphia, Pennsylvania 19103
 P 215-981-4000
 F 215-981-4750

26 FOR THE WITNESS, ANDREW G. POLAKOVSKY, M.D.:
 27 DAVIES, MCFARLAND & CARROLL, P.C.
 28 Daniel P. Carroll, Esquire
 29 dcarroll@dmcp.com
 30 One Gateway Center, 10th Floor
 31 Pittsburgh, PA 15222
 32 P 412-338-4740
 33 F 412-261-7251

34 ALSO PRESENT:
 35 Brad Cable, Videographer

Page 3

1 INDEX

2 Examination by Ms. Heacox - - - - - 5, 79, 90
 3 Examination by Mr. Wasson - - - - - 43, 88

4 Certificate of Court Reporter - - - - - 91
 5 Errata Sheet - - - - - 92

6

7 EXHIBIT INDEX

EXHIBIT	PAGE
8	
9 1	6
10 2	6
11 3	6
12 4	7
13 5	44
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	

Page 4

1 - - - -

2 P-R-O-C-E-E-D-I-N-G-S

3 - - - -

4 THE VIDEOGRAPHER: We are now on the
 5 record.

6 My name is Brad Coble, and I'm the
 7 videographer for Golkow Technologies.

8 Today's date is October 20, 2009,
 9 and the time is 1:02 p.m. This video
 10 deposition is being held in Pittsburgh,
 11 Pennsylvania in the matter of Avandia, Thomas
 12 versus SmithKline for the Court of Common
 13 Pleas, Philadelphia, Pennsylvania. The
 14 deponent is Dr. Andrew Polakovsky.

15 Counsel, please identify yourselves.

16 MS. HEACOX: My name is Catherine
 17 Heacox. I'm with the Lanier Law Firm, and I'm
 18 representing the plaintiff, Samuel Thomas, in
 19 this action against the manufacturers of
 20 Avandia for Mr. Thomas' claims that the
 21 ingestion of Avandia caused his heart attack.

22 MR. CORDELLA: Also appearing on
 23 behalf of the plaintiff is Paul Cordella,
 24 C-O-R-D-E-L-L-A, Lanier Law Firm.

25 MR. WASSON: Chris Wasson from

Page 5

1 Pepper Hamilton on behalf of GlaxoSmithKline.
 2 MR. CARROLL: And Dan Carroll on
 3 behalf of Dr. Polakovsky.
 4 THE VIDEOGRAPHER: The court
 5 reporter is JoAnn Brown and will now swear in
 6 the witness.
 7 - - - -
 8 ANDREW G. POLAKOVSKY, M.D.
 9 having been duly sworn,
 10 was examined and testified as follows:
 11
 12 - - - -
 13 THE VIDEOGRAPHER: Proceed.
 14 - - - -
 15 EXAMINATION
 16 - - -
 17 BY MS. HEACOX:
 18 Q. Hi, Dr. Polakovsky.
 19 A. Hi.
 20 Q. Thanks for joining us here today.
 21 You're here today on the basis of
 22 this Subpoena; is that correct?
 23 A. That's correct.
 24 MS. HEACOX: I'd like to mark this
 25 as Polakovsky 1.

Page 6

1 (Exhibit No. 1 was marked for identification.)
 2 - - - -
 3 BY MS. HEACOX:
 4 Q. Did you bring any materials with you today?
 5 A. Yes, I did.
 6 Q. In response to the deposition Subpoena?
 7 A. Correct.
 8 Q. What did you bring?
 9 A. I brought a copy of -- well, I actually
 10 brought the actual chart, Mr. Thomas' chart
 11 from my office, and I brought a curriculum
 12 vitae.
 13 Q. Okay. Thank you. I think you handed that out
 14 earlier.
 15 MS. HEACOX: You can mark that, the
 16 CV, as Polakovsky 2.
 17 - - - -
 18 (Exhibit No. 2 was marked for identification.)
 19 - - - -
 20 MS. HEACOX: And then if we can mark
 21 your chart as Polakovsky 3.
 22 - - - -
 23 (Exhibit No. 3 was marked for identification.)
 24 - - - -
 25 BY MS. HEACOX:

Page 7

1 Q. And you brought one additional piece of paper,
 2 is that correct, Dr. Polakovsky?
 3 A. That's correct. This is the backside of the
 4 one page in the chart that listed Mr. Thomas'
 5 initial medications when he first came to the
 6 office.
 7 Q. Okay.
 8 MS. HEACOX: And so I guess we'll
 9 just mark this as Polakovsky 4.
 10 - - - -
 11 (Exhibit No. 4 was marked for identification.)
 12 - - - -
 13 BY MS. HEACOX:
 14 Q. And this being a piece of paper that says, in
 15 handwriting, Zetia, 10 milligrams and
 16 Simvastatin, 20 milligrams?
 17 A. Yes.
 18 Q. That's your handwriting or --
 19 A. That's Mr. Thomas' handwriting.
 20 Q. Oh, okay.
 21 Understanding that you brought your
 22 CV, thank you very much, could you tell me a
 23 little bit about yourself?
 24 Let's start with where is your
 25 office located?

Page 8

1 A. It's in Scottsdale, Pennsylvania, 310 Mulberry
 2 Street.
 3 Q. And where do you live, Dr. Polakovsky?
 4 A. I also live in Scottsdale, Pennsylvania. 202
 5 North Chestnut Street is my home address.
 6 Q. Thank you.
 7 Maybe you could tell me a little bit
 8 about your background, your educational
 9 background?
 10 A. Okay. I graduated from the University of
 11 Pittsburgh in 1975. I was a chemistry major
 12 at that point, with the intentions of going on
 13 to medical school. I applied to medical
 14 school and dental school, as a backup, in
 15 1975. I was accepted into Temple dental
 16 program and was also on an alternates list for
 17 medical school but decided to pursue the
 18 dental career.
 19 Q. Mm-hmm.
 20 A. I went to Temple Dental School in 1976.
 21 Graduated in 1980 from Temple Dental School
 22 and set up a private practice in Scottsdale. I
 23 practiced as a dentist until about 1988. At
 24 that point, I decided that I wasn't happy
 25 being a dentist, I wanted to be a physician,

Page 9

1 and went to medical school in Ohio. So I
2 applied to several medical schools. I was
3 accepted at Northeastern Ohio University's
4 College of Medicine and entered that school in
5 1988. Graduated from that school in 1992.
6 Did a residency -- actually, when I graduated
7 from medical school, I thought I was going to
8 do anesthesia, so I applied to anesthesia
9 residency programs. I did an internship year
10 at Ruby Hospital in Morgantown, West Virginia,
11 and then entered Mercy Hospital anesthesiology
12 residency program in 1993, because you have to
13 do a preliminary year before you enter the
14 anesthesia program.
15 Q. Mm-hmm.
16 A. I was an anesthesia resident until, I want to
17 say, about -- thank you.
18 Q. Yeah, it's not a memory test.
19 A. I know. It's just these dates in the remote
20 past.
21 Q. Yes.
22 A. Thank you.
23 Yeah, 1995 at Mercy Hospital. At
24 that point, I decided that anesthesia wasn't
25 the proper field for me. I didn't follow the

Page 10

1 patients, and I felt more of an interest in
2 the patients than just being an
3 anesthesiologist, because, at that point, I
4 felt that patient contact is better in the
5 primary care field.
6 Q. Mm-hmm.
7 A. So I applied to the family practice residency
8 program at Wheeling, West Virginia and did my
9 family practice residency there in Wheeling
10 Hospital.
11 Q. Mm-hmm.
12 A. I graduated from Wheeling family practice
13 residency program in 1997.
14 Q. Mm-hmm.
15 A. I was chief resident in my senior year, and
16 opened up the private practice in Scottdale,
17 Pennsylvania in 1998.
18 Q. And have you been practicing there ever
19 since?
20 A. Yes, I have.
21 Q. Are you board certified?
22 A. Yes, I am.
23 Q. What are you board certified in?
24 A. In family practice.
25 Q. Family practice.

Page 11

1 Is that like internal medicine or is
2 that a little different from --
3 A. It's a little different.
4 Family practice is a separate
5 specialty of medicine, as is internal
6 medicine, that's another separate boarded area
7 in medicine, so my certification is in family
8 practice.
9 Q. Is there a different focus?
10 A. I believe so.
11 Q. Could you describe what's different about
12 family practice?
13 A. Okay. In family practice, you're treating the
14 entire family from children to adults. You
15 deal more with less complex problems, I would
16 say, more with the growth and development of
17 young children through their adolescence into
18 early childhood into adulthood. You do treat
19 middle-age and geriatric patients as well, but
20 in family practice, we don't do much in terms
21 of chemotherapy or certain conditions, such as
22 end-stage renal disease where people need to
23 be on dialysis, some of the more complex
24 problems. Those are the ones that internal
25 medicine physicians are more equipped to

Page 12

1 train -- or more equipped to treat. I'm
2 sorry.
3 Q. Do you need to get recertified at any time?
4 A. Yes.
5 Q. When would that be?
6 A. Okay. Recertification in family practice runs
7 on a cycle. It's typically a seven-year
8 cycle. They've recently extended it to a
9 10-year cycle if you keep with certain
10 requirements for continuing education. My
11 next recertification will be due in 2013.
12 Q. Okay. And are you licensed to practice in the
13 State of Pennsylvania?
14 A. Yes, I am.
15 Q. Are you licensed to practice in any other
16 state?
17 A. No.
18 Q. Do you have an independent recollection of
19 Mr. Samuel Thomas?
20 A. Somewhat, yes.
21 Q. Can you describe your recollection of your
22 treatment of him?
23 A. My treatment of him? I would say I first was
24 called -- I was covering for Mr. Thomas' usual
25 physician. Mr. Thomas came to Frick

Page 13

1 Hospital. He was in congestive heart failure,
2 and I was called to see him because he was in
3 the emergency room.
4 Q. Were you attending or --
5 A. Yes, I was an attending physician at Frick
6 Hospital at that point.
7 So I saw Mr. Thomas at Frick
8 Hospital. We also consulted the cardiologist,
9 as I recall, who was also familiar with
10 Mr. Thomas, and Mr. Thomas was in the hospital
11 for a few days. I would say three or four
12 days. At that point, he recovered. He was
13 discharged to home, and Mr. Thomas, who was a
14 patient of Dr. Timothy Saloom, decided that he
15 would rather have me care for him instead of
16 Dr. Saloom.
17 Q. Mm-hmm.
18 A. He called and asked if he could be my
19 patient. I did mention that to Dr. Tim Saloom
20 back then, and Dr. Saloom was not
21 objectionable to that, so Mr. Thomas came to
22 me as a patient I assume it was in the month
23 of September of 2006.
24 Q. Mm-hmm. Okay.
25 A. Okay?

Page 14

1 Q. And you treated him for some period of time?
2 A. Yes, I treated him for approximately two
3 years.
4 Q. And during that time, did you prescribe to him
5 any diabetic -- diabetes medicine?
6 A. Yes.
7 Q. What is it you prescribed to him?
8 A. We prescribed insulin, 70/30 Insulin.
9 Q. Is that -- can you describe is that a pill or
10 is that -- how is that administered?
11 A. Okay. That's an injection. It comes in a
12 syringe, and it's injected, a certain number
13 of units per day. The 70/30 has two parts: A
14 short-acting part and a long-acting part of
15 the insulin.
16 Q. Mm-hmm.
17 A. And, typically, patients are on a twice-daily
18 schedule with injections of the 70/30 Insulin.
19 Q. Okay. And so is that -- is that something
20 that -- do you always inject it twice a day or
21 do you do that, like, as-needed basis or --
22 A. Well, there are different ways to inject the
23 70/30 Insulin.
24 Q. Mm-hmm.
25 A. Typically, it's twice daily, but if you have

Page 15

1 reasonably good control, some people can get
2 by with once-daily injecting.
3 Q. Mm-hmm.
4 A. Some people need to go to a twice-daily
5 schedule, and then supplement with a different
6 type of insulin for the meal coverage as well.
7 Q. I see.
8 And so when do you typically inject
9 yourself? Is it before meals or after meals
10 or is there any --
11 A. Typically, it's before meals.
12 Q. Mm-hmm. Okay. So, like, before breakfast and
13 before dinner, something like that?
14 A. Before -- correct. Before breakfast and
15 before dinner would be a good schedule, and,
16 typically, you need to check your sugars on a
17 regular basis so that you can determine the
18 amount of insulin that you need to inject for
19 each meal.
20 Q. Okay. Did you prescribe any other diabetes
21 medication?
22 A. No.
23 Q. Okay. Did you prescribe any other medications
24 for Mr. Thomas --
25 A. Yes.

Page 16

1 Q. -- while he was under your care?
2 A. Yes, I did.
3 Q. What would that be?
4 A. The other medications that Mr. Thomas was on
5 was Furosemide.
6 Q. Why? What's that for?
7 A. That is a, quote, water pill. It is a
8 diuretic.
9 Q. Mm-hmm. What's the purpose of that?
10 A. Oh, I'm sorry.
11 The purpose of that medication is to
12 treat hypertension, and it can also be used to
13 treat heart failure.
14 Q. Okay.
15 A. Okay?
16 Q. And Mr. Thomas had heart failure?
17 A. Mr. Thomas was admitted to the hospital with
18 heart failure when I first met him. That was
19 treated, and at that point, you know, he
20 had -- no longer had symptoms of the heart
21 failure. I believe, as long as he was taking
22 his medications, it seemed things were under
23 control.
24 Q. Perhaps you could describe for me what that
25 means exactly, heart failure.

Page 17

1 A. Heart failure is a condition where the heart
2 is not adequately pumping the blood.
3 Q. Mm-hmm.
4 A. At that point, you can develop swelling of
5 your lower extremities, you can develop
6 shortness of breath, you can feel tired.
7 Q. Why would the swelling occur?
8 A. Because the blood is not being circulated well
9 enough and the kidneys can't extract the
10 excess fluid.
11 Q. Okay. And could congestive heart failure lead
12 to any more serious consequences if left
13 untreated?
14 MR. WASSON: Object to form.
15 A. If left untreated, congestive heart failure, I
16 believe, can lead to more serious conditions,
17 probably including death.
18 Q. What are the treatments for congestive heart
19 failure?
20 A. Treatments for congestive heart failure are
21 diuretics such as Furosemide.
22 Q. Mm-hmm.
23 A. Classes of medications known as ACE inhibitors
24 or ARBs, which are drugs which are used to
25 treat hypertension. Beta blockers are also

Page 18

1 used to treat heart failure. So those are
2 your standards for treating heart failure.
3 Q. Do you need to take all of those drugs?
4 A. Usually, there's a combination of drugs that
5 would include ACEs or ARBs, diuretics and beta
6 blockers.
7 Q. So you discussed that you had prescribed for
8 Mr. Thomas, I'm sorry, the water pill which
9 was -- was it HCTZ or --
10 A. It was Furosemide.
11 Q. Furosemide.
12 And so I kind of derailed the
13 conversation, but what else did you prescribe?
14 A. The other medications were Metoprolol, which
15 is a beta blocker.
16 Q. And what does that do?
17 A. That controls the heart rate.
18 Q. Uh-huh.
19 A. It also --
20 Q. Does it make your heart beat faster or slower?
21 A. It makes the heart beat slower. It actually
22 makes the heart beat slower.
23 Q. And how does that help congestive heart
24 failure?
25 A. When the heart is beating more slowly, the

Page 19

1 heart -- in between beats is when the heart
2 gets its oxygen and blood supply, so as the
3 heart beats slower, the heart has more time to
4 accumulate the oxygen and the blood supply
5 that it needs for the next -- the next cycle.
6 Q. Okay. And did you prescribe anything else?
7 A. Yes. We prescribed Diovan.
8 Q. And what is that?
9 A. Diovan is an angiotensin receptor blocker, and
10 it is also used to treat patients with heart
11 failure, that class of medications. That
12 class of drugs blocks the enzyme from the
13 kidneys which causes the blood pressure to be
14 increased, so by blocking the enzyme from the
15 kidneys, the blood pressure tends to remain
16 lower.
17 Q. Okay. So it's, in essence, a blood pressure
18 medication?
19 A. Yes, it can be used to treat blood pressure.
20 Yes.
21 Q. Okay. Is there anything else that you
22 prescribed?
23 A. Yes. He was also on Lotrel, which is a
24 combination drug.
25 Q. Mm-hmm.

Page 20

1 A. Lotrel has an ACE inhibitor, which is
2 Benazepril, which works similar to an
3 angiotensin receptor blocker, it just works a
4 little further up in the cascade, and there's
5 also a calcium channel blocker in Lotrel as
6 well, which tends to cause dilation of the
7 small arterials and, therefore, lower the
8 blood pressure.
9 Q. Okay. Is there anything else?
10 A. Yes. He was on Plavix, 75 milligrams a day.
11 That's a medication that is used when you've
12 had a cardiac event. Its purpose is to
13 prevent or to help reduce the chance of blood
14 clots.
15 Q. Okay. Would you need to take that on a daily
16 basis --
17 A. Yes.
18 Q. -- for the rest of your life after you've had
19 a heart attack?
20 A. There's some discussion about that point.
21 Initially, when Plavix came out,
22 they were treating people for a shorter period
23 of time, maybe up to a year. Some of the
24 later research on this medication and the
25 later studies is indicating that it would

Page 21

1 benefit to treat the patients for a longer
 2 period of time.
 3 Q. Okay. Did you prescribe anything else?
 4 A. Yes. Mr. Thomas was also on Simvastatin,
 5 which is a cholesterol medication and lowers
 6 cholesterol, and a medication called Zetia,
 7 which is a cholesterol medication as well.
 8 They work in combination together.
 9 Q. So he was on two cholesterol medications?
 10 A. Yes.
 11 Q. Did you test his cholesterol levels when you
 12 first started treating him?
 13 A. Yes, we did. I believe he had -- the initial
 14 cholesterol work-up was done in the hospital,
 15 and then it was tested on a regular basis as
 16 he was a patient.
 17 Q. Do you know what those levels were in the
 18 hospital?
 19 A. I can't recall them off the top of my head,
 20 no.
 21 Q. Do you remember, generally, whether they were
 22 high or low?
 23 A. I don't recall.
 24 Q. Okay. So would we assume that they were high
 25 for you to have prescribed the cholesterol or

Page 22

1 did you do that as a prophylactic measure or
 2 some other reason?
 3 MR. WASSON: Object to form.
 4 Q. If you recall?
 5 A. Typically, patients that have had a cardiac
 6 history are treated with cholesterol
 7 medications more so as a prophylactic basis
 8 than as a treatment for high cholesterol.
 9 That's pretty much a standard of care, I would
 10 think.
 11 Q. Okay. And is it necessary to be on both of
 12 those cholesterol medications?
 13 A. The addition of the second medication is to
 14 have the cholesterol numbers more ideal.
 15 There are standards where they like to see the
 16 LDL cholesterol less than a hundred in some
 17 patients and less than 70 in patients that
 18 have had an event, and in order to get the
 19 numbers to that point, you sometimes need to
 20 add a second medication, such as the Zetia, to
 21 the Simvastatin.
 22 Q. Okay. And by "an event," do you mean a
 23 cardiac event?
 24 A. Yes.
 25 Q. Such as a heart attack?

Page 23

1 A. Yes.
 2 Q. Or is congestive heart failure also considered
 3 an event or is that a different category of
 4 heart injury?
 5 MR. WASSON: Object to form.
 6 A. I'm not sure if congestive heart failure would
 7 be considered the same as a cardiac event. In
 8 my mind, I would say that they are very close,
 9 yes.
 10 Q. So do you believe that increasing the LDL
 11 cholesterol is a risk factor for heart
 12 attacks?
 13 A. Yes, I believe that an elevated LDL
 14 cholesterol level can be a risk factor for
 15 heart attacks, sure.
 16 Q. How about decreasing HDL level, is that a risk
 17 factor for heart attack?
 18 A. Yes.
 19 Q. How about increasing the triglycerides, is
 20 that a risk factor for heart attack?
 21 A. Yes, it is.
 22 Q. Do you have any idea of what would be a
 23 clinically significant increase?
 24 MR. WASSON: Object to form.
 25 A. In which one?

Page 24

1 Q. We'll start with LDL cholesterol.
 2 A. LDL cholesterol greater than 130 in an average
 3 person, I think, would be a risk, and someone
 4 such as Mr. Thomas, LDL greater than 70 would
 5 be a risk.
 6 Q. Okay. And then when you say "such as
 7 Mr. Thomas," is that because he has had the
 8 prior heart attack or because he has diabetes
 9 or both?
 10 A. Both
 11 MR. WASSON: Object to form.
 12 Q. So any increase over 70 could increase
 13 someone's risk potentially to have a heart
 14 attack?
 15 A. I would think so.
 16 MR. WASSON: Object to form.
 17 Q. Can you tell me what some of the causes of
 18 congestive heart failure would be?
 19 A. Congestive heart failure can arise from a
 20 cardiac event such as a myocardial
 21 infarction. It can also arise from such
 22 things as renal insufficiency which would be
 23 due to a heart attack or something on that
 24 order. It can also arise from damage to the
 25 heart valves where the heart is not pumping a

Page 25

1 sufficient amount of blood.
 2 Q. Can you describe the process by which a heart
 3 attack could cause renal insufficiency and/or
 4 congestive heart failure?
 5 A. When someone has a heart attack, the cardiac
 6 muscle is weakened and is stunted, and when
 7 that occurs, the heart does not pump a
 8 sufficient quantity of blood. At that point,
 9 the kidneys may not receive sufficient blood
 10 flow, and so they may not do their job
 11 properly, and the patient may go into heart
 12 failure because of the fact that the kidneys
 13 are unable to eliminate the waste products.
 14 Q. Okay. So that's pretty much the whole process
 15 right there.
 16 If you don't have renal
 17 insufficiency, could a heart attack still
 18 cause you to have congestive heart failure?
 19 A. Yes.
 20 Q. How would that happen?
 21 A. The cardiac output would be reduced to a point
 22 where the heart could not pump enough blood to
 23 be sufficiently filtered by the kidneys and
 24 you can go into heart failure.
 25 Q. Did you -- I don't know, maybe this wasn't

Page 26

1 something that you did, but did you happen to
 2 take note of Mr. Thomas' ejection fraction
 3 while you were treating him?
 4 A. I noticed from the note from Mr. Olivenstein,
 5 the cardiologist, where they had mentioned
 6 Mr. Thomas' ejection fraction, yes.
 7 Q. And was it abnormal?
 8 A. It was low. I can look at the note exactly.
 9 Q. Mm-hmm.
 10 A. They mention a 20 to 25 percent ejection
 11 fraction.
 12 Q. Can you explain what the ejection fraction is?
 13 A. Yes. The ejection fraction is the amount of
 14 blood that the heart pumps during each stroke,
 15 and normal ejection fraction is probably in
 16 the neighborhood of 50 percent, something like
 17 that.
 18 Q. Mm-hmm. So if his ejection fraction was 20 to
 19 25 percent, it was -- can you describe what
 20 that means?
 21 A. That means that the heart is pumping much less
 22 than a typical healthy heart would be pumping,
 23 and you would probably have symptoms such as
 24 shortness of breath or fatigue or swelling of
 25 your lower extremities, and that type of

Page 27

1 thing.
 2 Q. In your treatment of Mr. Thomas, did you
 3 notice any of those symptoms or did you notice
 4 him complaining or having any problems related
 5 to his heart condition?
 6 MR. WASSON: Object to form.
 7 A. I -- in reviewing my chart of Mr. Thomas, the
 8 only thing that I would notice that he
 9 occasionally complained of was some shortness
 10 of breath. When he was in the office, as far
 11 as walking from the waiting room to the exam
 12 room, seating at the exam table, he appeared
 13 quite comfortable and appeared to be doing
 14 quite well.
 15 Q. Did you give Mr. Thomas any samples?
 16 A. Yes, I did.
 17 Q. What kind of -- maybe you can describe to me
 18 what is a sample, first of all?
 19 A. Okay. Samples are small quantities of
 20 medications that -- the drug manufacturers
 21 will send a representative to the office,
 22 they'll discuss a product, and they'll
 23 typically leave us samples of certain
 24 medications. They're, typically, as I said,
 25 small quantities of whatever medications that

Page 28

1 the drug company happens to be promoting.
 2 Q. Okay. And what samples did you prescribe for
 3 or did you give to Mr. Thomas?
 4 A. Well, let me look through my notes.
 5 Q. Yeah, if you recall?
 6 A. Yeah, it's been a little while, so -- I gave
 7 him samples of Zetia, samples of Lotrel,
 8 samples of Diovan, and that was on his first
 9 visit to the office which was September 5,
 10 2006.
 11 Q. Mm-hmm.
 12 A. October 2006, I gave him samples of Levitra.
 13 November of 2006, I don't notice any samples
 14 on that date.
 15 Q. Do you always write down the samples that you
 16 give or do sometimes you or your nurses give
 17 samples that don't make their way into the
 18 chart?
 19 A. No, we always write down what samples we give.
 20 Q. Okay.
 21 A. Diovan samples were given in January of '07,
 22 Lotrel samples also on that day, and Zetia
 23 samples on that day, as well as Levitra
 24 samples on that day.
 25 Q. Now, when you give samples, do you typically

Page 29

1 give, you know, one week, two week, a month?
2 A. No, we write the numbers down of the amount of
3 samples, and typically it's a month or two if
4 I have that quantity on hand. So if you're
5 interested in the exact samples, they're
6 listed in the chart, but I can go over those,
7 too, if you would like.
8 Q. Sure, I guess, if they're listed in the
9 chart.
10 Does it say -- does it indicate next
11 to it -- does it say sample or how do you --
12 A. Yes, it does.
13 On Mr. Thomas' first visit,
14 September 5, 2006, Zetia, 10 milligram,
15 samples number 56, one daily. The next line,
16 Lotrel 5/20, samples number 56.
17 Q. Does number 56 mean you gave 56 pills?
18 A. Yes.
19 Q. Would that last 56 days?
20 A. Yes, and it says one daily.
21 Q. Okay.
22 A. And next is Diovan, 320 milligram, samples
23 number 56, one daily.
24 If I go to October 12, '06, Levitra,
25 20 milligram, samples number 6, one as

Page 30

1 directed. A prescription was also written.
2 Q. And Levitra is a drug for --
3 A. That's for erectile dysfunction.
4 Q. Okay.
5 A. November 20 of '06, again, no samples there.
6 January of '07, Diovan, 320
7 milligram, samples number 56, one daily.
8 Lotrel 5/20, samples number 48, one daily.
9 Zetia, 10 milligram, samples number 28, one
10 daily, and Levitra, 20 milligram, number 6,
11 one as directed.
12 Let's see. April of '07, Zetia, 10
13 milligrams, number 56 samples. Diovan, 320,
14 number 56 samples, plus Lotrel 5/20, number 56
15 samples, and Plavix, 75 milligram, number 30.
16 Apparently, that was a prescription. I'm
17 sorry, Plavix was not a sample, that was a
18 prescription.
19 Q. Okay.
20 A. October 9, '07, Plavix, 75 milligram, number
21 44 samples, one daily. Zetia, 10 milligram,
22 number 56 samples, one daily. Lotrel 10/20,
23 number 16, daily -- one daily, sorry, and
24 Diovan, 160 milligrams, number 56 samples, and
25 that's one daily.

Page 31

1 December of '07, Plavix, 75
2 milligram, number 56 samples.
3 March 6, 2008 I gave him a sample of
4 a 7/30 pen device, which is insulin.
5 Q. Now, is that the actual insulin or is it just
6 the --
7 A. No, it's the actual -- that pen device would
8 have contained 300 units of insulin.
9 Q. Uh-huh. And then, the pen, would that be good
10 for one day or for multiple days?
11 A. Multiple days.
12 Q. How many?
13 A. He was taking 24 units in the morning and a
14 sliding scale in the evening.
15 Q. What does that mean "a sliding scale"?
16 A. Well, a sliding scale means that you check
17 your sugar, and depending on what the value of
18 your sugar is, you give yourself a certain
19 amount of insulin.
20 Q. Mm-hmm. Okay.
21 A. So it can vary.
22 I would assume, you know, his dose
23 may be 30 units a day.
24 Q. Mm-hmm.
25 A. So if there were 300 units in a syringe,

Page 32

1 that's about a 10-day supply.
2 Q. Okay.
3 A. I also gave him samples of Plavix that day,
4 number 36.
5 Q. And that day, again, is?
6 A. That was March 6, 2008.
7 Q. Thank you.
8 A. And then I gave him samples also of a drug
9 called Vytorin, which is Zetia with
10 Simvastatin.
11 Q. Uh-huh.
12 A. And samples number 56 were given to him that
13 day.
14 Q. That's for cholesterol?
15 A. Yes.
16 Q. 56 pills?
17 A. Yes. That was the samples on that day.
18 I believe that's all the samples
19 that I supplied to Mr. Thomas.
20 Q. Thank you, Dr. Polakovsky.
21 Do you know why you were giving
22 samples to Mr. Thomas?
23 A. Yes. Mr. Thomas told me that he was unable to
24 afford his medications and asked if I could
25 help him with samples.

Page 33

1 Q. Are there a lot of patients that -- in your
2 office that ask for samples?
3 A. There are, I would say, probably, maybe, a
4 third to -- yeah, probably about 30 percent.
5 Q. Has that number increased at all with the
6 recent economic downturn?
7 A. Well, actually --
8 MR. WASSON: Object to form.
9 A. -- it probably has, yes.
10 Q. Do you practice in a rural area?
11 A. Yes, I do.
12 Q. Did there come a time -- let's see.
13 Let's back up and say -- you said,
14 as a family practitioner, you don't usually
15 deal with the hard cases or the more difficult
16 cases; is that correct?
17 MR. WASSON: Object to form.
18 A. Could you rephrase that question?
19 Q. Yeah. Sorry about that.
20 Do you have any specialization in
21 treating diabetes?
22 A. My treatment of diabetes is what I was taught
23 in family practice residency, so we are taught
24 to treat diabetes. The more-difficult-to-
25 treat diabetic patients, I usually refer to an

Page 34

1 endocrinologist.
2 Q. Did there come a time when you felt that
3 Mr. Thomas could use the services of someone
4 who is more specialized in diabetes?
5 A. Yes.
6 Q. And did you refer him to someone else?
7 A. Yes, I did.
8 I recall sending Mr. Thomas a
9 certified letter in March of 2008 asking him
10 to see an endocrinologist.
11 Q. And have you -- have you seen Mr. Thomas since
12 then?
13 A. Yes. Mr. Thomas was back to see me in August
14 of 2008.
15 Q. Was that the last time you treated him?
16 A. Yes, it was.
17 Q. And you parted ways on amicable terms?
18 A. I believe so.
19 MS. HEACOX: Can we go off the
20 record for a minute?
21 THE VIDEOGRAPHER: We're going off
22 the record. The time is 1:41 p.m.
23 - - - -
24 (There was a recess in the proceedings.)
25 - - - -

Page 35

1 THE VIDEOGRAPHER: We are now back
2 on the record. The time is 1:58 p.m.
3 Please proceed.
4 BY MS. HEACOX:
5 Q. Dr. Polakovsky, did you test Mr. Thomas'
6 cholesterol levels while he was under your
7 care from time to time?
8 A. Yes, I did.
9 Q. And do you know whether those -- whether he
10 was -- had high cholesterol or low cholesterol
11 or normal or what were the results?
12 A. His cholesterol numbers were low. His
13 triglyceride numbers were high. I have the
14 exact numbers here if you're interested.
15 Q. Sure.
16 A. June 27, 2007, total cholesterol was 112;
17 triglycerides, 287; LDL, 25; HDL, 30.
18 Q. Was that 125 for the LDL or 25?
19 A. 25.
20 Q. Wow!
21 A. So that's very low.
22 Q. That's very low.
23 MR. WASSON: I'm sorry, Doctor, what
24 date was that were you reading from?
25 THE WITNESS: Sorry. June 27, 2007.

Page 36

1 MR. WASSON: Thank you.
2 A. The next I have here is October 7, 2006. I'm
3 sorry they're out of order.
4 Q. Mm-hmm.
5 A. Total cholesterol, 133; triglycerides, 183;
6 LDL, 68; HDL, 28.
7 Q. LDL is also very low, right?
8 A. Yes, it is. Yeah, 68, so that's good.
9 March 25, 2008, cholesterol, 95;
10 triglycerides, 209; LDL, 28; and HDL, 25. And
11 I believe that's all I have.
12 Q. And during the time that you treated
13 Mr. Thomas, he was not using Avandia to
14 regulate his diabetes, correct?
15 A. Correct.
16 Q. Do you ever prescribe Avandia in your
17 practice?
18 A. Occasionally, I have prescribed Avandia, yes.
19 Q. Do you continue to prescribe Avandia?
20 A. I can't recall if my patients are still on
21 Avandia at this point. I don't -- I don't
22 recall if I continue to prescribe it. I don't
23 believe so. I don't believe I have any new
24 starts on Avandia, but the people that are on
25 Avandia, I believe, are continuing on Avandia.

Page 37

1 Q. Do you prescribe Actos?
 2 A. Yes, I do occasionally. Yes.
 3 Q. Are you familiar with the term detail men or
 4 being detailed?
 5 A. Yes.
 6 Q. Can you describe what that means?
 7 A. From my understanding, what you're asking me
 8 is these are men that are employed by the drug
 9 companies, and they'll come to the office and
 10 they have literature on their products. They
 11 usually stop in, and they'll leave samples of
 12 the drug if they have, and they'll go over the
 13 pertinent literature that they want to
 14 describe, you know, the drug products.
 15 Q. Do you ever get visited by drug
 16 representatives from GlaxoSmithKline?
 17 A. Yes.
 18 Q. How often?
 19 A. Maybe once every six weeks or so.
 20 Q. Do you recall ever being detailed or, you
 21 know, sold on the drug Avandia by
 22 representatives from GlaxoSmithKline?
 23 A. Well, Avandia, as I remember, was introduced
 24 around the year 2000, and at the time when it
 25 was first introduced, I remember some of the

Page 39

1 would be local to my area, so, typically, in
 2 my area. They may have a program in
 3 Greensburg, Pennsylvania or Uniontown,
 4 Pennsylvania. There's not much in Scottdale,
 5 I'm sorry, so, typically, I have to drive 10
 6 or 15 miles to get to a program.
 7 Q. I don't suppose you have any literature in
 8 your office from the program --
 9 A. No.
 10 Q. -- that you could share with us?
 11 A. No. We get so much literature, that if I kept
 12 every piece of literature that those reps left
 13 me, I wouldn't have room to walk around in my
 14 office.
 15 Q. It would be a wash?
 16 A. Mm-hmm. So, typically, after the rep leaves,
 17 you know, I'll read it and then throw it away
 18 in the trash.
 19 Q. Do you happen to recall the names of the -- or
 20 the name of the endocrinologist who came to
 21 speak about Avandia?
 22 A. Dr. Kowalik is -- to my best recollection,
 23 Steven Kowalik.
 24 Q. Is he a local endocrinologist?
 25 A. He's a local endocrinologist. He has a

Page 38

1 reps coming to the office to detail me on that
 2 product. I can't specifically remember back
 3 that far who they were, but I'm sure I've
 4 attended a program or two on Avandia when it
 5 first was introduced. Lately, I really
 6 haven't seen any reps that have Avandia. I
 7 don't know if they're still detailing it or
 8 what's going on there.
 9 Q. When you said you attended a program, can you
 10 describe what you mean by that?
 11 A. Usually, what they'll have is an
 12 endocrinologist, typically, will give you a
 13 lecture to a group of family practice doctors
 14 or internal medicine physicians. They'll
 15 have, maybe, four or five doctors at a dinner
 16 meeting. The specialist, usually the
 17 endocrinologist, will show a series of slides
 18 and describe the medication, the risks, the
 19 benefits, the side effects, that type of
 20 thing.
 21 Q. Mm-hmm. Do you remember, you know, where the
 22 location -- what restaurant or what town? Was
 23 it in Pittsburgh?
 24 A. No. I usually don't go to Pittsburgh. It's
 25 just too far from where I live. No, these

Page 40

1 practice in Greensburg, Pennsylvania.
 2 Q. Mm-hmm.
 3 A. There may have been another endocrinologist, a
 4 Dr. Shawn Nolan. I don't know if he spoke for
 5 Avandia, however.
 6 Q. Mm-hmm.
 7 A. And Dr. Timothy Jackson, who is another
 8 endocrinologist in the Uniontown area.
 9 But, again, it's been so many years
 10 that I can't recall if they specifically spoke
 11 for Avandia, but those are the local
 12 endocrinologists that were giving programs at
 13 that time.
 14 Q. Okay. Do you recall what was said about
 15 Avandia in the programs or anything about the
 16 slide deck?
 17 A. What I can recall about Avandia was the
 18 mechanism of action where it's an insulin
 19 sensitizer so that it gets the -- it helps the
 20 glucose to go into the cell, such as the
 21 muscle cells, the adipose tissue, the liver,
 22 places where we need to get the insulin into
 23 these cells. So it sensitizes. That's,
 24 basically, it's mechanism of action.
 25 As I recall, during the

Page 41

1 presentation, there was mention of peripheral
 2 edema being listed as one of the side effects
 3 of that medication. They may have mentioned
 4 drug-to-drug interactions, but I really
 5 specifically can't recall them. As I recall,
 6 though, there weren't very many drug-to-drug
 7 interactions with that class of medications.
 8 Q. Do you recall hearing that Avandia has a
 9 favorable lipid profile?
 10 A. I remember lipid profiles being discussed with
 11 Avandia and Actos as well, and the lipid
 12 profile benefits were touted by both
 13 companies, as I recall.
 14 Q. Do you remember specifically what they said
 15 about what Avandia would do for your lipid
 16 profile?
 17 A. I can't remember specifically, no.
 18 Q. Do you remember if any sales representative
 19 from GlaxoSmithKline described to you the
 20 advantages of Avandia over Actos?
 21 A. As I recall -- and, again, this is a long time
 22 ago -- it was the benefits in the lipid
 23 profile, and it seemed to be that one company
 24 would tout one portion of the lipid profile
 25 and the other company would tout another

Page 42

1 portion of the lipid profile, and, again,
 2 being so many years ago, I just don't recall
 3 which one was saying about, you know, which
 4 their specific product was better for.
 5 Q. So, you know, if I'm correct, what you're
 6 saying is that both companies were telling you
 7 their drug was better for the lipid profile
 8 than the other drug --
 9 MR. WASSON: Objection to form.
 10 Q. -- in essence?
 11 A. Yes, in essence. Yes.
 12 Q. Okay. Do you remember any other specific
 13 message that the GlaxoSmithKline sales
 14 representative had about their drug Avandia?
 15 Specifically, did they tell you it
 16 was -- it had cardio-protective effects?
 17 MR. WASSON: Objection to form and,
 18 really, relevance --
 19 MR. CARROLL: Yeah, I'm going to --
 20 MR. WASSON: -- at this point.
 21 MR. CARROLL: Yeah, I'm going to,
 22 sort of, interpose an objection here as his
 23 counsel.
 24 My understanding is he was here to
 25 talk about his care and treatment of the

Page 43

1 plaintiff, not to be questioned about a drug
 2 he did not prescribe your client.
 3 MS. HEACOX: Okay. Well, can he
 4 answer the last question, and then I'll move
 5 on?
 6 MR. CARROLL: Thanks.
 7 A. I don't recall them saying anything about it
 8 being cardio-protective.
 9 Q. Okay. Fair enough.
 10 MS. HEACOX: That's all I have right
 11 now.
 12 Thank you very much.
 13 - - - -
 14 EXAMINATION
 15 - - - -
 16 BY MR. WASSON:
 17 Q. Good afternoon, Dr. Polakovsky.
 18 My name is Chris Wasson, and I
 19 represent GlaxoSmithKline. I have a few
 20 questions for you as well.
 21 A. Okay.
 22 Q. The first time you met or treated Mr. Thomas
 23 was in Frick Hospital; is that correct?
 24 A. Yes.
 25 Q. I'm going to show you what we'll mark as

Page 44

1 Exhibit 5, I believe we're up to.
 2 - - - -
 3 (Exhibit No. 5 was marked for identification.)
 4 - - - -
 5 BY MR. WASSON:
 6 Q. Have you had a chance to look at that, Doctor?
 7 A. Yes.
 8 Q. And is that the admission record from Frick
 9 Hospital from August 10th of 2006 relating to
 10 Mr. Samuel Thomas?
 11 A. Yes, it is. It's my history and physical
 12 examination, yes.
 13 Q. And you were -- did you have -- were you an
 14 attending physician at Frick at that time?
 15 A. Yes.
 16 Q. Would you cover patients in the hospital?
 17 A. Yes, I do.
 18 Q. And that's how you came to treat Mr. Thomas?
 19 A. That's correct.
 20 Q. You took a history from Mr. Thomas, correct?
 21 A. Yes, I did.
 22 Q. And you have, in your note here, under Past
 23 Medical History -- well, actually, under Chief
 24 Complaints, you say: The patient has a known
 25 cardiac history, however, he stopped his

Page 45

1 cardiac meds and his diabetic meds
2 approximately six months ago for cost issues.
3 Do you see that?
4 A. That's correct. Yes.
5 Q. Is that something that Mr. Thomas told you?
6 A. Yes, it is.
7 Q. And you also put that in the past medical
8 history: Mr. Thomas' other past medical
9 history is significant for non-insulin-
10 dependent diabetes mellitus for which he has
11 not taken medications for approximately six
12 months.
13 Again, is that something Mr. Thomas
14 told you?
15 A. Yes, it is.
16 Q. Part of your assessment and plan from that
17 record, Doctor, is -- the last one is anemia.
18 Do you see that?
19 A. Mm-hmm.
20 Q. Did you make a diagnosis of anemia for
21 Mr. Thomas?
22 A. Yes, I believe so.
23 Q. Do you remember what the basis for your
24 diagnosis was?
25 A. It was the initial set of labs that were drawn

Page 46

1 when he was admitted to the hospital.
2 Q. Which specific labs would those be?
3 A. That would be his hemoglobin and hematocrit.
4 Q. And so based on his hemoglobin and hematocrit
5 findings or levels, you determined that he had
6 anemia?
7 A. I believe so.
8 Q. Did you talk to Dr. -- Mr. Thomas about how
9 long he had had anemia?
10 A. I don't recall talking to him about how long
11 he had anemia.
12 Q. Okay. And, also, in the past medical history,
13 you note that -- at least at this time or from
14 October of 2003, that he had a 36 percent
15 ejection fraction; is that right?
16 A. Yes. Yes.
17 Q. Do you remember where you got that information
18 from?
19 A. That ejection fraction must have come from his
20 previous medical chart which would have been
21 available to me at the time that I examined
22 him at the hospital.
23 Q. So based on your treatment of Mr. Thomas at
24 this time, August 10th of 2006, he hadn't been
25 on any meds for six months; is that right?

Page 47

1 A. Yes.
2 Q. And you also noted a positive family history
3 for coronary artery disease and hypertension;
4 is that right?
5 A. Yes.
6 Q. Is that something you would have gotten from
7 Mr. Thomas as well?
8 A. Yes.
9 Q. And you also note that his blood pressure was
10 elevated?
11 A. Yes.
12 Q. I think you said after -- it was after this
13 meeting or encounter with Mr. Thomas that he
14 became your patient afterwards; is that
15 correct?
16 A. Yes, it is.
17 Q. And did you talk to Dr. Timothy Saloom about
18 Mr. Thomas before the care was transferred to
19 you?
20 A. Yes, I did.
21 Normally, when we have a patient in
22 the hospital -- I was covering for Dr. Saloom,
23 who was away that weekend, so when we have a
24 patient in the hospital, when Dr. Saloom came
25 back Sunday, the typical routine is to call

Page 48

1 Dr. Saloom and let him know which of his
2 patients I've seen and what exactly is going
3 on with them, so I'm sure that I discussed
4 Mr. Thomas with Dr. Saloom.
5 Q. Do you remember any specifics of the
6 discussions that you had with Dr. Saloom about
7 Mr. Thomas at the time in the transition?
8 A. No, I can't remember any specifics other than
9 the fact to say that he was in the hospital
10 and he was being treated. I believe
11 Dr. Saloom discharged the patient.
12 Q. From Frick Hospital?
13 A. From Frick Hospital, correct.
14 Q. Did you come to know that Dr. Saloom actually
15 had to terminate his care of Mr. Thomas?
16 A. No, I did not know that.
17 Q. Did you ever talk to Dr. Saloom about the fact
18 that Mr. Thomas wouldn't follow Dr. Saloom's
19 medical advice or take his medications?
20 MS. HEACOX: Objection to the form
21 of the question.
22 A. No, I wasn't aware of that.
23 Q. Do you remember any discussions like that?
24 A. No. The discussion I had with Dr. Saloom was
25 when Mr. Thomas called me and asked if I would

Page 49

1 assume him as a patient, and I remember
 2 calling Dr. Saloom and asked if that would be
 3 okay with him, and Dr. Saloom said it was
 4 okay.
 5 Q. Did Mr. Thomas tell you that he had been
 6 terminated from Dr. Saloom's care?
 7 A. No.
 8 MS. HEACOX: Objection to the form
 9 of the question.
 10 Q. Your first office visit was September 5th of
 11 2006, is that right, if you can find that page
 12 of your chart?
 13 A. Yes, September 5 of 2006. Correct.
 14 Q. Did you take a weight of Mr. Thomas?
 15 A. Yes.
 16 Q. What was his weight?
 17 A. 223 pounds.
 18 Q. And how tall was he?
 19 A. 65 inches.
 20 Q. Five-five?
 21 A. Five-five.
 22 Q. So that was -- that's a BMI of about 37,
 23 right?
 24 A. Yes.
 25 Q. That's severely obese?

Page 50

1 A. Yes. Well, it's obese, yes.
 2 Q. Did you have any discussions with Mr. Thomas
 3 about his obesity during that first visit
 4 about addressing that condition?
 5 A. I don't believe I had a specific discussion
 6 about his obesity. I'm looking at my note in
 7 the chart, and I don't have that marked --
 8 well, wait, I'm sorry, I do. I do have
 9 mention of a low-calorie/low-salt consistent
 10 carbohydrate diet, so I must have mentioned
 11 something to him because I did mark that under
 12 diet.
 13 Q. Is it important for patient care to address a
 14 condition like that of obesity?
 15 A. Yes.
 16 Q. You also made a note in your -- I guess your
 17 office notes. At the top of your first page
 18 of the September 5, '06 record, you make
 19 reference to patient had not been taking any
 20 of his medications since February of 2006,
 21 with an exclamation point.
 22 Do you see that?
 23 A. Yes, I do.
 24 MS. HEACOX: Objection.
 25 Q. Is that your handwriting?

Page 51

1 A. Yes, it is.
 2 Q. What is right after that?
 3 A. He states that cost is a problem. I advised
 4 him that there could be serious consequences
 5 or even death from not taking his
 6 medications. Okay.
 7 Q. And go ahead.
 8 A. Well, after that, it said: He switched to me
 9 because he was not happy with Dr. Tim Saloom.
 10 Q. Did Mr. Thomas tell you why he wasn't happy
 11 with Dr. Saloom?
 12 A. No.
 13 Q. What specifically did you advise Mr. Thomas
 14 about the serious consequences or even death
 15 that could result from him not taking his
 16 medications?
 17 A. I explained that diabetes needs to be
 18 controlled with diet and exercise, and
 19 coronary disease as well needs to be
 20 controlled with diet and exercise, and that
 21 that's important in the treatment of these
 22 conditions as well as, you know, other
 23 factors.
 24 Q. How about for his high blood pressure, did you
 25 talk about that?

Page 52

1 A. Yes. I advised him to use a low-salt diet,
 2 and that's about it.
 3 Q. Did you talk to him about problems of not
 4 taking his blood pressure medication?
 5 A. Yes.
 6 Q. What did you tell him about that?
 7 A. I explained that the blood pressure
 8 medications needed to be taken on a regular
 9 basis. Certain medications can cause problems
 10 if you are not consistent with taking those
 11 medications, such as a beta blocker.
 12 Q. How about for his cholesterol problems, did
 13 you talk to him about not taking medication
 14 for that?
 15 A. Yes, I believe so.
 16 Q. And what did you tell him about that?
 17 A. I explained that he needs to take the
 18 cholesterol medications to help reduce his
 19 chances of cardiac events.
 20 Q. The first labs you did were after that visit
 21 on October -- or the first labs you
 22 received --
 23 A. Mm-hmm.
 24 Q. -- I should say, were on October 7th of 2006
 25 after that first office visit; is that

Page 53

1 correct?
 2 A. Yes. Yes, they were.
 3 Q. And what was Mr. Thomas' glucose at that
 4 time -- fasting glucose?
 5 A. Fasting glucose was 169.
 6 Q. Is that high?
 7 A. Yes, it is.
 8 Q. What was his HPA1c?
 9 A. 7.3.
 10 Q. Is that high as well?
 11 A. Yes, it is.
 12 Q. And his HDL at the time?
 13 That's the good cholesterol, right?
 14 A. Mm-hmm.
 15 Q. What was his HDL?
 16 A. His HDL was 28.
 17 Q. Is that low?
 18 A. That's very low, yes.
 19 Q. What's normal?
 20 A. Normal HDL we like to see greater than 60.
 21 Q. So 28 is very low?
 22 A. 28 is very low.
 23 Q. Is low HDL a risk factor for cardiac events?
 24 A. Yes, it is.
 25 Q. Did you do anything specific in treating

Page 54

1 Mr. Thomas after you reviewed these initial
 2 laboratory results?
 3 What was your plan after seeing
 4 these?
 5 A. Well, the plan after seeing these -- I'm going
 6 to turn my page to get to -- to get to his
 7 office visit just to refresh my memory.
 8 For his coronary disease, I wanted
 9 him to see Dr. Olivenstein, the cardiologist.
 10 We were keeping his medications the same as
 11 when he first came in, but I felt that the
 12 cardiologist, you know, was appropriate at
 13 this point because of his cardiac disease.
 14 Q. Any other specific recommendations or plans
 15 that you had to treat Mr. Thomas after those
 16 initial lab results?
 17 A. To be on the 70/30 Insulin and the sliding
 18 scale at supper time, which I would have
 19 discussed with him. He mentioned about an
 20 impotence problem, and I asked him to see a
 21 urologist. That's what I can, you know, gain
 22 from reading this note from October 12th of
 23 '06.
 24 THE VIDEOGRAPHER: I need to change
 25 the tape.

Page 55

1 MR. WASSON: Okay. Can we take a
 2 short break? We just need to change the tape.
 3 THE VIDEOGRAPHER: This is the end
 4 of tape number 1. We're going off the
 5 record. The time is 2:21 p.m.
 6 - - - -
 7 (There was a recess in the proceedings.)
 8 - - - -
 9 THE VIDEOGRAPHER: This is the
 10 beginning of tape number 2. We're going back
 11 on the record. The time is 2:23 p.m.
 12 Please proceed.
 13 BY MR. WASSON:
 14 Q. Thank you. Thank you, Doctor. We just took a
 15 short break to change the tape.
 16 We were talking about the first lab
 17 results you got in October 7th of 2006 for
 18 Mr. Thomas, and I just want to back up and ask
 19 you, as of that time, as of those labs, he had
 20 been on those meds that you prescribed back in
 21 September for about a month; is that right?
 22 A. Yes.
 23 Q. So how did you feel that the medication was
 24 addressing his cholesterol and diabetes
 25 problem, as of those labs, after a month?

Page 56

1 A. I wasn't happy with his blood sugar level, and
 2 I wasn't happy with the cholesterol profile.
 3 Q. Did you make any adjustments in those
 4 medications, after he got the labs, in your
 5 next office visit in October of '06?
 6 A. No.
 7 Q. When he came back to you, it was October 12th
 8 of 2006, I believe; is that right?
 9 A. He came November 20 of 2006.
 10 Q. Oh, I'm sorry. I had -- is that not an office
 11 visit at the top of that page?
 12 A. Yes. Yes, it is. I'm sorry.
 13 Q. Okay.
 14 A. October 12, 2006. Correct.
 15 Q. And did you see him on that visit?
 16 A. Yes, I did.
 17 Q. And it was at that point that you prescribed
 18 or gave him samples for Levitra?
 19 A. Yes.
 20 Q. Now, Mr. Thomas told you his sugars were
 21 averaging 140 during that visit?
 22 A. Yes.
 23 Q. Is that consistent with the labs you got?
 24 A. His labs were higher than what he told me, so
 25 it's not consistent.

Page 57

1 Q. And that was a fasting lab, right?
2 A. Yes, it was.
3 Q. And his tests at home weren't fasting, were
4 they?
5 A. I would assume his tests at home should be
6 fasting.
7 Q. He was instructed to do fasting tests?
8 A. Yes.
9 Q. Okay. And your next visit was in November 20;
10 is that correct?
11 A. Yes.
12 Q. And his weight is 228 at that point, right?
13 A. Yes.
14 Q. That's still -- that's more weight than he had
15 the first time he saw you, right?
16 A. That's right.
17 Q. And that's still obese?
18 A. Yes, it is.
19 Q. Is that the -- that's the visit where you
20 first prescribed Levitra for him or gave him
21 samples?
22 A. The Levitra was -- samples were provided
23 October 12 --
24 Q. Oh, I'm sorry, you're correct.
25 A. -- 2006.

Page 58

1 Q. I apologize.
2 And then it was in the November
3 visit that, I think, did you instruct him not
4 to use the Levitra?
5 A. Yes.
6 Q. And why was it specifically that you
7 instructed him not to use the Levitra?
8 A. The reason I didn't want him to use the
9 Levitra was because I felt that in his
10 situation, if he had to use nitrates, it could
11 be a dangerous situation. He was not on
12 nitrates, he had no symptoms, but I felt that
13 if he -- if he was in that position where he
14 would have to use that, that that wouldn't be
15 a wise choice.
16 Q. Do you know whether he, in fact, stopped using
17 it or if he kept using it?
18 A. I don't know if he stopped using it. I know
19 he had asked for samples, and that's as much
20 as I know.
21 Q. And then in the November 20 visit, there's
22 also reference to him having an issue with
23 cost and requesting samples, correct?
24 A. Yes.
25 Q. And you provided samples as you testified

Page 59

1 earlier?
2 A. Yes, I did.
3 I don't believe I supplied any
4 samples on the November 20th visit, however.
5 I don't have anything documented on that date.
6 Q. Okay. You had previously provided him with
7 samples?
8 A. Yes. Previously I had, yes.
9 Q. And the next visit you saw him was January 11
10 of 2007; is that right?
11 A. Yes.
12 Q. And his weight at that time is 233 pounds,
13 right?
14 A. That's correct.
15 Q. So he's continuing to gain weight?
16 A. Yes, he is.
17 Q. And you have a reference here, and you've had
18 in other places, to ESSHTN as a diagnosis.
19 What does that mean?
20 A. Oh, essential hypertension.
21 Q. And what is essential hypertension?
22 A. That's hypertension for no known cause.
23 Q. On this visit of January 11, '07, there's also
24 a reference to you giving him Levitra samples,
25 correct?

Page 60

1 A. Yes.
2 Q. And how many samples did you give him?
3 A. Number 6.
4 Q. Do you know if he continued to take Levitra?
5 A. I don't know.
6 Q. You had previously instructed him not to,
7 correct?
8 A. That's right.
9 Q. Your next visit is April 3rd of 2007, correct?
10 A. Yes, it is.
11 Q. At that point, he weighs 242 pounds, right?
12 A. Mm-hmm.
13 Q. Gained another 9 pounds?
14 A. Yes.
15 Q. Do you recall having any discussions with him
16 at this point about his weight or efforts to
17 lose weight?
18 A. I mentioned to him about the ADA diet, the
19 diabetic diet on that visit, and so, yeah, I
20 must have had a discussion about his weight
21 since I mentioned the diet, yes.
22 Q. Do you know whether he was compliant with your
23 advice?
24 A. I can't say whether he was compliant because
25 I'm not Mr. Thomas, but it appears that he's

Page 61

1 not being compliant.
2 Q. Based on your --
3 A. Based on, you know, the numbers and what I was
4 seeing in the office.
5 Q. And one of the other diagnoses you had here
6 on -- actually, I think you've had it a couple
7 times. Is that hypercholesterolemia? Is that
8 correct?
9 A. Yes.
10 Q. What does that mean?
11 A. That means his cholesterol is elevated, it is
12 under treatment, and, again, I recommended a
13 low-cholesterol diet and the medications.
14 Q. And he had that elevated cholesterol from the
15 day he began treating with you, correct?
16 A. Yes.
17 Q. The next labs you got, Doctor, were from June
18 of 2007; is that right?
19 A. Yes.
20 Q. And these were -- these labs from June --
21 actually, June 27th of 2007; is that correct?
22 A. Yes, correct.
23 Q. These lab results that you got were the next
24 in line that you got after those from October
25 of 2006 that we already went over, correct?

Page 62

1 A. Correct.
2 Q. And his glucose at that point -- his fasting
3 glucose was what?
4 A. It was 244.
5 Q. Is that high?
6 A. Yes, it is.
7 Q. It's a lot higher than 169, isn't it?
8 A. Yes, it is.
9 Q. Is that your handwriting there that says:
10 Needs to be referred to endocrinologist?
11 A. Yes, that's my handwriting. Yes.
12 Q. And did you refer him, Mr. Thomas, to an
13 endocrinologist in June of 2007?
14 A. Yes, I did.
15 Q. Do you know if he went?
16 A. I don't believe he went.
17 Q. So he didn't follow your advice?
18 A. I don't think so.
19 Q. What was Mr. Thomas' triglyceride level from
20 these labs?
21 A. His triglycerides were 277.
22 Q. Is that high?
23 A. Yes, very high.
24 Q. It's a lot higher than the last ones, isn't
25 it?

Page 63

1 A. Yes.
2 Q. And what was Mr. Thomas' HDL, the good
3 cholesterol, from these labs?
4 A. The HDL, good cholesterol, was 29.
5 Q. Again, that's very low, correct?
6 A. Yes, it is.
7 Q. So how would you describe his lipid profile at
8 this time?
9 A. It's not good at all. It's not a good lipid
10 profile to have.
11 Q. And his HbA1c was what at this point?
12 A. 9.3.
13 Q. That's higher than -- that's higher than the
14 7.3 we saw last time, right?
15 A. Yes, it is.
16 Q. Was that a concern to you?
17 A. Yes.
18 Q. He also had urine -- he also had glucose in
19 his urine; is that correct?
20 A. Yes, he did.
21 Q. That's the first time you saw that?
22 A. Yes.
23 Q. And it had been negative before?
24 A. It had been negative before. I believe,
25 because of the fact that his blood glucose was

Page 64

1 so high, that he was spilling glucose into the
2 urine at this point, yes.
3 Q. Do you think Mr. Thomas was taking his
4 insulin?
5 MS. HEACOX: Objection. Calls for
6 speculation.
7 Q. If you can?
8 A. He may not have been taking the insulin as
9 prescribed or may not have been taking a
10 sufficient amount. I mean, I can't say he
11 didn't take any. It would be a guess.
12 Q. Okay. Are these the sort of lipid levels and
13 glucose levels you would expect to see from a
14 patient taking the medications you prescribed
15 for Mr. Thomas?
16 A. No, they're not.
17 Q. What does it mean to have glucose in your
18 urine?
19 A. That means that the blood glucose is so high
20 that the kidneys can't -- they're spilling
21 urine -- I'm sorry, they're spilling glucose
22 into the urine, so, generally, we see that
23 when the glucose is greater than 160 or so.
24 Q. What, if anything, did you do -- other than
25 your note to refer him specifically to see an

Page 65

1 endocrinologist, what other steps did you take
2 in response to reviewing these lab results for
3 Mr. Thomas?
4 A. Well, I know I asked him to see an
5 endocrinologist.
6 Let me check my note from July of
7 '07 here.
8 Q. Did you have a visit with him the next day on
9 June 28th of 2007?
10 A. June 28th, 2007, yes.
11 Q. And from that record, can you tell what it was
12 that you did in addition to referring
13 Mr. Thomas to an endocrinologist?
14 A. Well, I asked him to have a diabetic eye exam
15 and a foot exam. I advised him to have a
16 colonoscopy and a rectal prostate exam on that
17 visit. I continued him on the 70/30 Insulin
18 until -- you know, until he could see an
19 endocrinologist. I asked him to follow up
20 with Dr. Olivenstein, the cardiologist. I
21 advised him to follow a low-cholesterol diet
22 because I wasn't happy with the lipid numbers,
23 and he had another problem where he had
24 injured his thumb and I asked him to see a
25 hand specialist for the thumb.

Page 66

1 Q. And the lipid levels and the glucose levels
2 you saw from the October -- I'm sorry, the
3 June '07 lab results, did those give you
4 concern for Mr. Thomas' cardiovascular health?
5 A. Yes.
6 Q. Is that why you referred him to the
7 cardiologist?
8 A. That's why I referred him to the cardiologist,
9 correct.
10 Q. And on that June 28th, '07 visit, he was up to
11 245, right?
12 A. Yes.
13 Q. So he gained another 3 pounds?
14 A. Yes.
15 Q. Do you remember having any discussions with
16 him about his weight during that visit?
17 A. I don't remember discussing his weight. I'm
18 looking to see if I have something noted in my
19 note about it. It's just hard to remember
20 specifics that far ago.
21 Q. Nothing specific in your notes that you see?
22 A. No.
23 Q. Your next visit was on October 9th of 2007; is
24 that correct?
25 A. Yes.

Page 67

1 Q. And under HPI --
2 What is that, health physical
3 information?
4 A. It's history of present illness.
5 Q. History of present illness.
6 -- there's a notation that patient
7 does not always check sugars.
8 Do you see that?
9 A. Yes, I do.
10 Q. Is that something you advised him to do?
11 A. I do advise him to check sugars daily, yes, at
12 least twice daily, and he told me he was not
13 always checking his sugars.
14 Q. So he wasn't following your advice?
15 A. That's right.
16 Q. On the visit of October 9th of 2007, Doctor, I
17 think it might be on the second page of your
18 records, there's a reference to -- at the
19 bottom, under A/P, do you see that section?
20 A. Yes.
21 Q. There's a reference to IDDM poor control. Do
22 you see that?
23 A. Yes, I do.
24 Q. Is that your reference to the laboratory
25 results that you had?

Page 68

1 A. Yes.
2 Q. And is this -- and I see here -- maybe you
3 could help me read this.
4 A. Okay.
5 Q. Does it say refer to --
6 A. Refer to Dr. Kowalik.
7 Q. Okay. And is he an endocrinologist?
8 A. He's an endocrinologist locally, and then I
9 noted recheck labs, recheck blood pressure in
10 two months.
11 Q. So this was the second time you specifically
12 referred Mr. Thomas to an endocrinologist?
13 A. Yes.
14 Q. Do you know if he went?
15 A. I don't believe he went.
16 Q. I don't see any records from Dr. Kowalik in
17 yours. Are there?
18 A. No, I have no records from Dr. Kowalik, and
19 that's the reason why I don't believe he went,
20 because, typically, I'll get a letter back
21 from the endocrinologist saying that they saw
22 the patient and this was what they were going
23 to do to treat the patient, yes.
24 Q. You also have a reference there to written
25 RX. Is that Prandia?

Page 69

1 A. Written -- no.
2 Q. I'm sorry. What does that say?
3 A. I'm sorry. No, no. It means written referral
4 provided. Okay?
5 Q. Oh, okay. Sorry.
6 A. Now, if I go back on 10-7 -- let me find
7 the -- that means written referral provided,
8 but let me go back and see -- okay. I wrote
9 him, on a prescription form: See Dr. Kowalik
10 or Dr. Basham -- I'm sorry, part of this thing
11 is cut off -- and I wrote their phone number
12 down, for diabetes management. Your A1c is
13 9.3, and I wrote too high. Sugars are
14 averaging over 220. Call for appointment.
15 Have blood work and tests done first. And
16 this was a paper that I handed Mr. Thomas,
17 along with his prescriptions.
18 Q. During that meeting?
19 A. During that meeting, correct.
20 Q. Your next visit was December 11th of 2007; is
21 that right?
22 A. Yes.
23 Q. At this point he weighs, what, 237; is that
24 right?
25 A. Yes. He had lost some weight.

Page 70

1 Q. You said -- also, under HPI here, it says:
2 Patient states he lost -- what does that say?
3 A. His lab slip.
4 Q. What did that mean?
5 A. I give the patient a written prescription to
6 have blood work done, and they take it to the
7 hospital, and that way they can have their
8 cholesterol checked, their hemoglobin, A1c,
9 whatever, and then I'll get the results back,
10 and apparently he lost the lab slip.
11 On that lab slip, I do have copy to
12 Dr. Kowalik, and this is the lab slip -- this
13 is my copy of the lab slip that I gave him
14 back on, you know, December -- or October 9th
15 of '07.
16 Q. That you gave Mr. Thomas?
17 A. Yes.
18 Q. And he didn't have any lab work done?
19 A. That's right.
20 Q. Also, down there on the -- I guess, three-
21 quarters of the way down that page from 12-11-
22 07, under endo, do you see that?
23 A. Yes.
24 Q. Denies seeing endocrinologist as he was
25 advised.

Page 71

1 Is that what that says?
2 A. Yes.
3 Q. So Mr. Thomas told you he didn't go see the
4 endocrinologist?
5 A. That's right.
6 Q. So, again, he's not following your advice?
7 A. That's right.
8 Q. The next thing you had were more lab results
9 from February of 2008; is that right?
10 A. Yes, February 25.
11 Q. And do you have those in front of you?
12 A. Yes, I do.
13 Q. Okay. What was Mr. Thomas' fasting glucose in
14 February -- on February 25, 2008?
15 A. It was 285.
16 Q. So that's even higher than the June labs; is
17 that right?
18 A. Yes.
19 Q. And did that concern you?
20 A. Yes, it did.
21 Q. And then there's some handwriting at the
22 bottom of that page.
23 A. Mm-hmm.
24 Q. On the left-hand side, I think I can read
25 that, but what does the writing on the right-

Page 72

1 hand bottom say?
2 A. On the right-hand, it says: Needs seen.
3 Dyslipidemia. Poor glycemic control.
4 Q. Say that first part again. Something seen?
5 A. Well, needs seen.
6 Q. Needs seen?
7 A. Yes.
8 Q. What does that mean?
9 A. When I write that on the labs, on the slip,
10 that's given to the receptionist, and the
11 receptionist will call the patient for an
12 appointment.
13 Q. Okay. Is that glucose -- fasting glucose
14 level consistent with a patient who is taking
15 insulin?
16 A. I don't believe so.
17 Q. What was Mr. Thomas' triglyceride level?
18 A. They were 209.
19 Q. Is that high?
20 A. Yes.
21 Q. And what was Mr. Thomas' HDL level, the good
22 cholesterol?
23 A. His HDL was 25.
24 Q. So that's dropped even lower than the last
25 labs, right?

Page 73

1 A. Yes, it has. Yes.
2 Q. Did that concern you?
3 A. Yes, it did.
4 Q. And what was Mr. Thomas' HpA1c on February
5 25th of 2008?
6 A. It was 10.4.
7 Q. So higher than the 9.3 from June, correct?
8 A. Yes.
9 Q. Did that concern you as well?
10 A. Yes, it did.
11 Q. Other than, again, your referral of Mr. Thomas
12 to an endocrinologist, what other -- what
13 steps did you take to address these lab
14 results with Mr. Thomas?
15 A. Well --
16 Q. Oh, I'm sorry, before we get there, Doctor,
17 can we go back to the second last page of the
18 labs.
19 Again, there was glucose in
20 Mr. Thomas' urine, right?
21 A. Yes.
22 Q. And this time it's up to a thousand?
23 A. Yes.
24 Q. Last time, it was 300?
25 A. Yes.

Page 74

1 Q. And now we've also got, what, hemoglobin in
2 his urine as well?
3 A. Yes, there's a trace of hemoglobin, 1 plus.
4 Q. What's the significance of that?
5 A. I'm not sure what the significance of the 1
6 plus trace hemoglobin. There can be several
7 causes, and I would be speculating if I would
8 say as to what it was.
9 Q. We don't want you to speculate.
10 What's the significance of the 1,000
11 reading for glucose in his urine?
12 A. That means that his glucose control is very
13 poor.
14 Q. Your next visit was March 6th of 2008; is that
15 right?
16 A. Yes.
17 Q. And you actually have some -- the handwritten
18 pages that we've seen before, but you also
19 have a typewritten note for this visit as
20 well?
21 A. Yes. Correct.
22 Q. And you make reference to the fact that
23 Mr. Thomas is only using the 70/30 once a day;
24 is that correct?
25 A. Yes.

Page 75

1 Q. Is that against your advice?
2 A. Yes.
3 Q. How many times is he supposed to use it a day?
4 A. Twice a day.
5 Q. And you observed that his sugars were not
6 well-controlled again?
7 A. Yes.
8 Q. Did you make another referral to an
9 endocrinologist for Mr. Thomas?
10 A. Yes, we spoke with him for a long time on that
11 visit and asked him again to see the
12 endocrinologist.
13 Q. Do you know if he ever went?
14 A. I don't believe so.
15 Q. You also, I think, wrote him -- I think you
16 mentioned earlier you wrote him a certified
17 letter --
18 A. Yes.
19 Q. -- on March 8th of 2008; is that right?
20 A. Yes, I did.
21 Q. What was your reason in writing Mr. Thomas a
22 certified letter?
23 A. I wanted to reinforce what we had discussed at
24 the office visit.
25 Q. Is there some reason you did it by certified

Page 76

1 mail?
2 A. Yes.
3 Q. Why?
4 A. I felt that Mr. Thomas was not following my
5 medical advice at this time, and I felt that
6 if I sent him a certified letter, at least
7 everything would be spelled out in front of
8 him and my reasoning would be clear to him and
9 perhaps he would, you know, follow the medical
10 advice.
11 Q. Were you considering terminating your care of
12 Mr. Thomas at this point?
13 A. At this point, I was considering referring him
14 beyond my care to an internal medicine
15 specialist, yes.
16 Q. Because he wasn't following your advice?
17 A. Well, because we weren't controlling his
18 problem, so I felt that at this point, maybe
19 another doctor could impress on him the
20 importance of his care, so that was my
21 reasoning.
22 Q. A big part of the problem you weren't
23 controlling his problem was he wasn't
24 following your advice, correct?
25 A. I think so.

Page 77

1 Q. And there was a call to your office, it looks
2 like, June 30th of 2008 regarding some
3 medications. That's the next event that I
4 see; is that correct?
5 A. Yes.
6 Q. And what was that about?
7 A. He wanted a refill on his Lasix and his Zocor,
8 and I called him a 30-day prescription with
9 one refill and asked him to call the office
10 for a follow-up appointment.
11 Q. Did you actually talk to him during that June
12 call, do you know?
13 A. I believe so. You know, from what it says in
14 my note, I did.
15 Q. And your last visit with Mr. Thomas was August
16 4th --
17 A. Yes.
18 Q. -- of 2008; is that correct?
19 A. Yes.
20 Q. You made reference in that visit that he
21 wasn't taking his blood pressure medication;
22 is that correct?
23 A. Mm-hmm. Yes.
24 Q. And you had advised him to take his blood
25 pressure medication, correct?

Page 78

1 A. Yes.
2 Q. You provided -- as you testified earlier, you
3 provided Mr. Thomas with a lot of samples,
4 didn't you?
5 A. Yes, I did.
6 Q. So there was no problem with Mr. Thomas
7 getting those medications, was there, because
8 you gave them to him for free?
9 A. That's true.
10 Q. He didn't have a -- he didn't have to pay for
11 those samples, did he?
12 A. No.
13 Q. He also requested Zoloft; is that right?
14 A. Yes, he asked me for Zoloft.
15 Q. Why did he request Zoloft, do you know?
16 A. He explained to me that he was robbed at
17 gunpoint recently before this visit and his
18 nerves were upset, so that's why -- and he
19 said that he was on Zoloft in the past and it
20 had worked for him.
21 Q. Did he tell you that he was taking Zoloft in
22 the past for his high blood pressure?
23 A. No, Zoloft would not be a blood pressure
24 medication.
25 Q. Have you ever heard that, a physician

Page 79

1 prescribing Zoloft for blood pressure?
2 A. No.
3 Q. You then sent Mr. Thomas another -- I think,
4 another certified letter on August 8th of
5 2008; is that right?
6 A. Yes.
7 Q. What was your purpose in sending this letter?
8 A. At this point, I asked Mr. Thomas to see an
9 internal medicine specialist. I was
10 dismissing him from my practice.
11 Q. Was part of the reason you dismissed him
12 because he wasn't following your advice?
13 A. Yes, I believe so.
14 MR. WASSON: Thank you, Doctor. I
15 don't think I have any other questions. Thank
16 you.
17 - - - -
18 EXAMINATION
19 - - - -
20 BY MS. HEACOX:
21 Q. I have a few follow-up questions.
22 A. Okay.
23 Q. Dr. Polakovsky, we talked a lot about Dr. -- I
24 mean Mr. Thomas' hypercholesterolemia or high
25 cholesterol.

Page 80

1 A. Yes.
2 Q. And you detailed for us earlier his
3 cholesterol readings, correct?
4 A. Mm-hmm.
5 Q. And his LDL was always very low, correct?
6 A. Yes.
7 Q. So when you said he had, you know, cholesterol
8 problems, are you talking about the
9 triglycerides and the HDL?
10 MR. WASSON: Object to form.
11 A. You need to talk about the whole picture of
12 cholesterol, the total cholesterol, the LDL,
13 the HDL, and the triglycerides, and so you try
14 to optimize each of those four separate
15 values.
16 One can have a good profile with the
17 LDL, "bad cholesterol," and still have a low
18 HDL which can give you a risk for heart attack
19 and cardiac events or stroke. Triglycerides
20 are also an independent risk factor, and so
21 you have to look at the whole picture of all
22 these numbers.
23 Q. And in Mr. Thomas' picture, was it the HDL and
24 the triglycerides only that were out of range?
25 MR. WASSON: Object to form.

Page 81

1 A. For the most part, yes, it was the
2 triglycerides and the HDL that were out of
3 range, yes.
4 Q. Can you tell me, with regard to the HDL, what
5 Mr. Thomas could have done to control his
6 HDL?
7 For example, is there -- if he had
8 stayed on an ADA diet, would that have
9 increased his HDL?
10 A. The things that are known to increase HDL are
11 exercise and products such as the Omega 3 oils
12 and niacin.
13 Q. Is that fish oil?
14 A. Yes.
15 Q. And the vitamin niacin?
16 A. Yes.
17 Q. And exercise?
18 A. Yes.
19 Q. Okay. So the cholesterol medications that you
20 had prescribed to him would not have increased
21 his HDL?
22 MR. WASSON: Object to form.
23 A. Those cholesterol medicines have some effect
24 on the HDL, but I don't know the full extent
25 of how much they would change his HDL.

Page 82

1 Q. How about the triglycerides, is there
2 something that he could have done
3 independently to lower his triglycerides?
4 A. Losing weight, maintaining a low-fat diet,
5 obtaining exercise. Those would be the main
6 things I would think of.
7 Q. And the medications that you prescribed to
8 him, were they -- would they have reduced his
9 triglycerides?
10 MR. WASSON: Object to form.
11 A. I believe they would have some effect on the
12 triglycerides, but I don't know to what extent
13 they would lower his triglycerides. There are
14 specific medications that are directed at
15 triglycerides.
16 Q. Such as?
17 A. Such as the fibrates.
18 Q. You referred Mr. Thomas to a diabetes
19 specialist, correct?
20 A. Yes.
21 Q. Do you know, in your general experience,
22 whether that generally costs more on a co-pay
23 basis --
24 MR. WASSON: Object to form.
25 Q. -- seeing a specialist?

Page 83

1 A. I don't know.
2 Q. You detailed for us the many samples that you
3 gave to Mr. Thomas, correct?
4 A. Yes.
5 Q. And there was one pen that you gave him of the
6 insulin --
7 A. Yes.
8 Q. -- that he was taking?
9 Is there a reason why you only gave
10 him one sample of that?
11 A. Probably because that's all I had.
12 Q. So is that insulin especially expensive?
13 MR. WASSON: Object to form.
14 A. I don't know the cost of insulin.
15 Q. Do you know whether it costs money to test
16 your blood sugar at home?
17 MR. WASSON: Object to form.
18 A. I believe it does.
19 Q. Do you know how much that would cost?
20 A. No, I don't.
21 Q. Do you know what's entailed?
22 A. You need a strip and a lancet to puncture the
23 finger, and you puncture the finger, get a
24 drop of blood, put it on the strip in the
25 glucometer, and it will give you a glucose

Page 84

1 reading.
2 Q. So you also need a glucometer?
3 A. Yes.
4 Q. Is that a machine that reads the blood glucose
5 level?
6 A. Yes, it is.
7 Q. And you need the little -- you need one strip
8 for each time that you test your blood
9 glucose?
10 A. Yes.
11 Q. And you have to purchase those at a pharmacy?
12 A. You do purchase those at a pharmacy. I don't
13 know the cost or whether they're covered by
14 Mr. Thomas' insurance as part of his benefits.
15 Q. Did Mr. Thomas ever tell you that he was
16 having trouble covering the cost of his
17 medications?
18 A. Yes.
19 Q. In fact, I see that you noted more than once
20 in your records that he told you he was having
21 trouble with keeping up with the payments,
22 correct?
23 MR. WASSON: Object to form.
24 A. He noted that there was a problem with the
25 cost of his medications.

Page 85

1 Q. Did you know what Mr. Thomas did for a living?
 2 A. I can't recall.
 3 Q. Have you spoken to any representative from
 4 GlaxoSmithKline prior to this deposition with
 5 regard to this lawsuit?
 6 A. There was a representative that stopped in my
 7 office some time in August, and I can't recall
 8 the date, who stated that I was going to get
 9 papers or something like that regarding one of
 10 my patients. She did not mention the patient
 11 specifically, and she told me, "don't shoot
 12 the messenger," and that was --
 13 Q. So this was a sales rep?
 14 A. This was one of the sales reps, yes.
 15 Q. Do you remember her name?
 16 A. Her first name was Hemel. I cannot recall her
 17 last name because I don't see her very often.
 18 Q. Did she talk to you at all about the basis of
 19 the lawsuit?
 20 A. No, not that I can think of. No.
 21 Q. Did she talk to you at all about Avandia at
 22 the time?
 23 A. No.
 24 Q. Did she talk to you at all about Mr. Thomas?
 25 A. No, she never mentioned a name.

Page 86

1 Q. Did she tell you how she came to know about
 2 the fact that you'd be subpoenaed?
 3 A. No, she didn't tell me how she came to know
 4 about it and, honestly, I didn't ask.
 5 Q. Have you spoken to any other representatives
 6 of GlaxoSmithKline about the lawsuit --
 7 A. No.
 8 Q. -- or about anything to do with the matters
 9 herein?
 10 A. No.
 11 Q. Any lawyers?
 12 A. Mr. Carroll.
 13 Q. That's right.
 14 Any lawyers from the Pepper Hamilton
 15 law firm or any other law firm representing
 16 GlaxoSmithKline?
 17 A. Not representing GlaxoSmithKline or Pepper
 18 Hamilton, no.
 19 Q. Okay. How long do you think that conversation
 20 took between you and the sales representative,
 21 Ms. -- I'm sorry?
 22 A. Her first name was Hemel. I can't recall her
 23 last name.
 24 Q. Hemel?
 25 A. Hemel. I think it's -- I don't know if she's

Page 87

1 Middle Eastern. It was an odd name.
 2 Probably less than a minute.
 3 Q. Do you see her often?
 4 A. No.
 5 Q. Is that the first time you've seen her?
 6 A. No, it would not be the first time. She
 7 comes, maybe, once ever two months or so,
 8 something on that order, and she hasn't -- I
 9 mean, she was one of the newer reps that comes
 10 to my office, so she hasn't been, you know,
 11 calling on me in the past, you know, for any
 12 length of time.
 13 Q. Do you know what she -- what products she's
 14 usually there detailing?
 15 A. She has Lovaza.
 16 Q. Which is?
 17 A. That's a fish oil product that they use to
 18 lower triglycerides.
 19 Q. Anything else?
 20 A. That's all I can think of. She may have
 21 another product, but I associate her with
 22 Lovaza.
 23 Q. Now, when she said to you "don't shoot the
 24 messenger," what did you make out of that?
 25 MR. WASSON: Object to form.

Page 88

1 A. I don't know if she was just afraid that I
 2 would be upset. I don't know.
 3 Q. What did you do when she told you?
 4 A. I basically -- I basically just went on with
 5 the rest of the visit. I said, well, I
 6 haven't heard anything, and that's okay, and,
 7 you know, what can I say?
 8 I mean, my mother used to tell me
 9 don't borrow trouble, so I try to follow her
 10 advice on that.
 11 Q. Did you call your lawyer?
 12 A. No.
 13 MS. HEACOX: Okay. I have no
 14 further questions.
 15 - - - -
 16 EXAMINATION
 17 - - - -
 18 BY MR. WASSON:
 19 Q. I just have two questions.
 20 Can you tell from your chart what
 21 insurance coverage Mr. Thomas had?
 22 A. Yes.
 23 Q. Can you tell me?
 24 A. He had UPMC Health Plan.
 25 Q. Is that a local Pittsburgh area plan?

1 A. Yes, it is, through the University of
 2 Pittsburgh.
 3 And, actually, yeah, it lists, you
 4 know, the co-payments on here. Office visits
 5 are listed as \$15, and specialists are listed
 6 as \$25, and ER visits are listed as \$50.
 7 MS. HEACOX: Am I still on the
 8 record, I guess?
 9 MR. WASSON: No, I'm asking
 10 questions.
 11 MS. HEACOX: Oh, yeah, sorry about
 12 that. Yeah, sorry. Sorry, Chris.
 13 BY MR. WASSON:
 14 Q. Finally, I guess Exhibit 5, which was your
 15 hospital admission note concerning your
 16 treatment of Mr. Thomas --
 17 A. Yes.
 18 Q. -- the episode of congestive heart failure
 19 that he had, could that have been caused in
 20 any way by his failure to take his meds for
 21 six months?
 22 A. I believe so.
 23 MR. WASSON: I have no further
 24 questions.
 25 - - - -

1 EXAMINATION
 2 - - - -
 3 BY MS. HEACOX:
 4 Q. I just have one more question.
 5 When you sent him to get the blood
 6 work done, that was to the emergency room?
 7 A. No. No, they get blood work done at an
 8 outpatient lab either through the hospital or
 9 through some outside lab facility.
 10 Q. Okay.
 11 MS. HEACOX: That's it.
 12 MR. WASSON: Thank you very much.
 13 MS. HEACOX: Thank you.
 14 THE VIDEOGRAPHER: That concludes
 15 today's deposition. We're going off the
 16 record. The time is 3:00 p.m.
 17 - - - -
 18 (Thereupon, the deposition was
 19 concluded at 3:00 p.m.)
 20 - - - -
 21
 22
 23
 24
 25

1 COMMONWEALTH OF PENNSYLVANIA) CERTIFICATE
 2 COUNTY OF ALLEGHENY) SS:
 3 I, JoAnn M. Brown, RMR, CRR, a Court Reporter
 4 and Notary Public in and for the Commonwealth of
 5 Pennsylvania, do hereby certify that the witness,
 6 ANDREW G. POLAKOVSKY, M.D., was by me first duly
 7 sworn to testify to the truth; that the foregoing
 8 deposition was taken at the time and place stated
 9 herein; and that the said deposition was recorded
 10 stenographically by me and then reduced to printing
 11 under my direction, and constitutes a true record of
 12 the testimony given by said witness.
 13 I further certify that the inspection, reading
 14 and signing of said deposition were NOT waived by
 15 counsel for the respective parties and by the
 16 witness.
 17 I further certify that I am not a relative or
 18 employee of any of the parties, or a relative or
 19 employee of either counsel, and that I am in no way
 20 interested directly or indirectly in this action.
 21 IN WITNESS WHEREOF, I have hereunto set my
 22 hand and affixed my seal of office this 28th day of
 23 October, 2009.
 24 _____
 25 Notary Public

1 - - - - -
 2 E R R A T A
 3 - - - - -
 4 PAGE LINE CHANGE
 5 _____
 6 REASON: _____
 7 _____
 8 REASON: _____
 9 _____
 10 REASON: _____
 11 _____
 12 REASON: _____
 13 _____
 14 REASON: _____
 15 _____
 16 REASON: _____
 17 _____
 18 REASON: _____
 19 _____
 20 REASON: _____
 21 _____
 22 REASON: _____
 23 _____
 24 REASON: _____
 25 _____

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ACKNOWLEDGMENT OF DEPONENT

I, _____, do hereby certify that I have read the foregoing pages, and that the same is a correct transcription of the answers given by me to the questions therein propounded, except for the corrections or changes in form or substance, if any, noted in the attached Errata Sheet.

ANDREW G. POLAKOVSKY, M.D. DATE

Subscribed and sworn to before me this _____ day of _____, 20____.

My commission expires: _____

Notary Public

EXHIBIT B

Page 1

1 IN THE COURT OF COMMON PLEAS
 PHILADELPHIA COUNTY, PENNSYLVANIA
 2
 3 IN RE: AVANDIA LITIGATION : FEBRUARY TERM, 2008
 :
 4 : NO. 2733

5 :
 6 FRANK SCHREFFLER, JR., : JURY TRIAL DEMANDED
 PLAINTIFF, : APRIL TERM, 2008
 :
 7 v. :
 : CASE NO. 000361
 8 SMITHKLINE BEECHAM :
 CORPORATION d/b/a :
 9 GLAXOSMITHKLINE, : AVANDIA CASE: "TV"
 DEFENDANT. :

10
 11
 12
 13 VIDEO
 14 DEPOSITION OF: MARK A. OSEVALA, D.O.
 15 TAKEN BY: DEFENDANT
 16 BEFORE: DONNA J. FOX, REPORTER
 NOTARY PUBLIC
 17
 LOUIS CHIODO, VIDEOGRAPHER
 18
 DATE: OCTOBER 21, 2009, 3:20 P.M.
 19
 PLACE: CAPITAL AREA CARDIOVASCULAR
 20 SURGICAL INSTITUTE
 423 NORTH 21ST STREET
 21 SUITE 301
 CAMP HILL, PENNSYLVANIA
 22
 23
 24
 25

Page 2

1 APPEARANCES:
 2 ANAPOL, SCHWARTZ, WEISS, COHAN,
 FELDMAN & SMALLEY, P.C.
 3 BY: GREGORY S. SPIZER, ESQUIRE
 1710 SPRUCE STREET
 4 PHILADELPHIA, PA 19103
 215-790-4578
 5 gspizer@anapolschwartz.com
 FOR - PLAINTIFF
 6
 PEPPER HAMILTON, LLP
 7 BY: DONNA L. FISHER, ESQUIRE
 100 MARKET STREET
 8 SUITE 200
 HARRISBURG, PA 17108-1181
 9 717-255-1166
 fisherd@pepperlaw.com
 10 FOR - DEFENDANT
 11
 12 ALSO PRESENT:
 13 LOU CHIODO, VIDEOGRAPHER
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Page 3

1 TABLE OF CONTENTS
 2 WITNESS
 3 FOR DEFENDANT DIRECT CROSS REDIRECT RECROSS
 4 Mark A. Osevala, D.O. 5 45 64 66
 5
 6
 7
 8
 9
 10
 11
 12 EXHIBITS
 13 PRODUCED
 OSEVALA EXHIBIT NO. AND MARKED
 14
 1 - Notice of Videotaped Deposition 5
 15
 2 - Curriculum vitae 6
 16
 3 - Medical records, SchrefflerF_CSI_0001
 17 through 0037 20
 18
 19
 20
 21
 22
 23
 24
 25

Page 4

1 STIPULATION
 2 It is hereby stipulated by and between
 3 counsel for the respective parties that reading,
 4 signing, sealing, certification and filing are waived;
 5 and that all objections except as to the form of the
 6 question are reserved to the time of trial.
 7
 8 THE VIDEOGRAPHER: We are now on the
 9 record. My name is Lou Chiodo. I'm a videographer
 10 for Golkow Technologies. Today's date is 10-21-09 and
 11 the time is 3:20 p.m. This video deposition is being
 12 held in Camp Hill, PA, in the matter of Schreffler
 13 versus Smithkline in the Court of Common Pleas of
 14 Philadelphia, PA.
 15 The deponent is Mark Osevala and the
 16 court reporter is Donna Fox.
 17 Counsel, please identify yourselves.
 18 MS. FISHER: This is Donna Fisher with
 19 GlaxoSmithKline.
 20 MR. SPIZER: Greg Spizer, Anapol
 21 Schwartz, on behalf of Frank Schreffler.
 22
 23 MARK A. OSEVALA, D.O., called as a
 24 witness, being sworn, testified as follows:
 25

<p style="text-align: right;">Page 5</p> <p>1 DIRECT EXAMINATION</p> <p>2</p> <p>3 BY MS. FISHER:</p> <p>4 Q Good afternoon, Doctor.</p> <p>5 A Hi.</p> <p>6 Q My name is Donna Fisher and I'm here</p> <p>7 today on behalf of GlaxoSmithKline to ask you some</p> <p>8 questions about your treatment of the plaintiff in a</p> <p>9 litigation that -- brought against GlaxoSmithKline</p> <p>10 involving the drug Avandia.</p> <p>11 I am going to show you a document that</p> <p>12 I'm going to have marked as Exhibit No. 1, and this is</p> <p>13 the subpoena, the notice of deposition for this</p> <p>14 deposition. The court reporter will mark it as</p> <p>15 Osevala Exhibit 1.</p> <p>16 (Notice of Videotaped Deposition marked</p> <p>17 Osevala Exhibit No. 1.)</p> <p>18 BY MS. FISHER:</p> <p>19 Q If you could just take a minute to look</p> <p>20 at it, if you need to.</p> <p>21 A Yes. Okay.</p> <p>22 Q I have shown you the notice of deposition</p> <p>23 for your deposition. Have you seen this document</p> <p>24 before?</p> <p>25 A Yes.</p>	<p style="text-align: right;">Page 7</p> <p>1 A Yes, it is.</p> <p>2 Q Did you do anything to prepare for</p> <p>3 today's deposition, Doctor?</p> <p>4 A No. No.</p> <p>5 Q Did you look at any records, any medical</p> <p>6 files?</p> <p>7 A No. I had none available.</p> <p>8 Q Did you review any medical literature or</p> <p>9 any news releases or news press releases or anything</p> <p>10 like that?</p> <p>11 A No.</p> <p>12 Q Did you talk with anyone in preparation</p> <p>13 of today's deposition?</p> <p>14 A No.</p> <p>15 Q Have you had any conversations with Frank</p> <p>16 Schreffler, the plaintiff, about this deposition or</p> <p>17 this lawsuit?</p> <p>18 A No.</p> <p>19 Q And have you spoken to anyone in his</p> <p>20 family about the lawsuit?</p> <p>21 A No.</p> <p>22 Q Looking at Exhibit 2, which is your CV, I</p> <p>23 just want to ask you a few questions about your</p> <p>24 background, your education and experience.</p> <p>25 You attended Pennsylvania State</p>
<p style="text-align: right;">Page 6</p> <p>1 Q If you turn to Exhibit A of the Exhibit</p> <p>2 1, it lists certain documents that you were asked to</p> <p>3 bring, look for and bring with you.</p> <p>4 A I do see that, yes.</p> <p>5 Q I know you came from dealing with an</p> <p>6 emergency, presumably at the hospital --</p> <p>7 A Yes.</p> <p>8 Q -- so you did not come in with any</p> <p>9 documents.</p> <p>10 We did receive documents from the medical</p> <p>11 records service. I will show them to you in a minute.</p> <p>12 And when we do that, I would like you to be able to</p> <p>13 tell me if you have any additional ones that -- in</p> <p>14 your files, original files, that you can remember of</p> <p>15 that would not be there.</p> <p>16 A Okay.</p> <p>17 Q We've also asked for your CV. And we</p> <p>18 have been provided with a current version of your CV,</p> <p>19 which I am going to have marked as Osevala Exhibit 2.</p> <p>20 (Curriculum vitae marked Osevala Exhibit</p> <p>21 No. 2.)</p> <p>22 BY MS. FISHER:</p> <p>23 Q That's your CV?</p> <p>24 A Yes.</p> <p>25 Q Is that the current version of your CV?</p>	<p style="text-align: right;">Page 8</p> <p>1 University?</p> <p>2 A Correct.</p> <p>3 Q And graduated from there in 1977?</p> <p>4 A Yes.</p> <p>5 Q According to your CV, Exhibit 2, you then</p> <p>6 went to Pennsylvania State University in Hershey, the</p> <p>7 medical school, is that correct?</p> <p>8 A That's correct.</p> <p>9 Q And what degree did you get there?</p> <p>10 A A master's degree.</p> <p>11 Q In?</p> <p>12 A Physiology.</p> <p>13 Q You then went to Philadelphia College of</p> <p>14 Osteopathic Medicine?</p> <p>15 A Correct.</p> <p>16 Q And what years were you there?</p> <p>17 A 1981 to 1985.</p> <p>18 Q And when you graduated, what degree did</p> <p>19 you receive?</p> <p>20 A D.O.</p> <p>21 Q Did you then do an internship?</p> <p>22 A Yes.</p> <p>23 Q And where was the internship?</p> <p>24 A Suburban General Hospital.</p> <p>25 Q How long was the internship?</p>

<p style="text-align: right;">Page 9</p> <p>1 A One year.</p> <p>2 Q And what was that in?</p> <p>3 A A rotating internship.</p> <p>4 Q You then did a general surgery residency?</p> <p>5 A Yes.</p> <p>6 Q And where was that?</p> <p>7 A At Suburban General Hospital.</p> <p>8 Q And how long did that last?</p> <p>9 A Four years.</p> <p>10 Q And that was just general surgery?</p> <p>11 A Yes.</p> <p>12 Q You then did a fellowship?</p> <p>13 A Yes.</p> <p>14 Q Can you describe that, please.</p> <p>15 A Cardiothoracic surgical fellowship.</p> <p>16 Q Was that two years?</p> <p>17 A Two years, correct.</p> <p>18 Q Is that the end of your formal</p> <p>19 education --</p> <p>20 A Yes.</p> <p>21 Q -- institutional -- with an institution?</p> <p>22 A Yes.</p> <p>23 Q What did you do next?</p> <p>24 A I stayed on to work at Jersey Shore Heart</p> <p>25 Institute.</p>	<p style="text-align: right;">Page 11</p> <p>1 Q According to your resumé, you then moved</p> <p>2 back to -- or you moved to Central Pennsylvania?</p> <p>3 A Yes.</p> <p>4 Q To Lemoyne --</p> <p>5 A Yes.</p> <p>6 Q -- Pennsylvania, in July of 2000?</p> <p>7 A Yes.</p> <p>8 Q And have you been in this area since</p> <p>9 then?</p> <p>10 A Yes.</p> <p>11 Q You are not with, obviously, Shaffer</p> <p>12 Cardiovascular Surgical Institute anymore, is that</p> <p>13 correct?</p> <p>14 A Correct, yes.</p> <p>15 Q When did you leave Shaffer Cardiovascular</p> <p>16 Surgery?</p> <p>17 A The practice closed in December of 2003.</p> <p>18 Q Did you then come to this practice, or</p> <p>19 did you form this practice at that time?</p> <p>20 A No; I came to this practice at that time.</p> <p>21 Q And the name of the practice you're</p> <p>22 currently in?</p> <p>23 A The Capital Area Cardiovascular Surgical</p> <p>24 Institute.</p> <p>25 Q And how many surgeons do you have -- are</p>
<p style="text-align: right;">Page 10</p> <p>1 Q Did you develop a specialty there of any</p> <p>2 sorts?</p> <p>3 A Cardiothoracic surgery, yes.</p> <p>4 Q And you stayed there for how long?</p> <p>5 A Thirteen months initially.</p> <p>6 Q I look at your resumé, and it looks like</p> <p>7 you then went West to South Dakota.</p> <p>8 A Yes.</p> <p>9 Q Why did you go to South Dakota?</p> <p>10 A A job opportunity.</p> <p>11 Q And you were out there for a year almost?</p> <p>12 A One year, yes.</p> <p>13 Q Was that a -- did you only plan to stay a</p> <p>14 year there or --</p> <p>15 A No, no.</p> <p>16 Q You planned to stay longer?</p> <p>17 A Yes.</p> <p>18 Q You then moved back East --</p> <p>19 A Yes.</p> <p>20 Q -- back to Neptune?</p> <p>21 And why was that?</p> <p>22 A Primarily illness in my wife's family.</p> <p>23 Q And you stayed in Neptune, New Jersey,</p> <p>24 for six years?</p> <p>25 A Correct.</p>	<p style="text-align: right;">Page 12</p> <p>1 there in the group, Doctor?</p> <p>2 A Currently there are five.</p> <p>3 Q What states do you hold medical licenses</p> <p>4 in?</p> <p>5 A Pennsylvania.</p> <p>6 Q You at one point held licenses in</p> <p>7 New Jersey and South Dakota?</p> <p>8 A Correct.</p> <p>9 Q And you no longer keep those licenses</p> <p>10 active?</p> <p>11 A Correct.</p> <p>12 Q Could you just give us -- name the</p> <p>13 professional associations you belong to.</p> <p>14 A Pennsylvania Osteopathic Medical</p> <p>15 Association, the American College of Osteopathic</p> <p>16 Surgeons, the American Osteopathic Association, the</p> <p>17 Society of Thoracic Surgeons, Dauphin County Medical</p> <p>18 Society, the Pennsylvania Society of Thoracic</p> <p>19 Surgeons, and a former member of the New Jersey</p> <p>20 Society of Thoracic Surgeons.</p> <p>21 Q When I look at your resumé, your CV/ your</p> <p>22 resumé, the hospital staff positions, it appears that</p> <p>23 you have treating privileges at five local hospitals;</p> <p>24 is that correct?</p> <p>25 A It could be. I'm not really sure of the</p>

<p style="text-align: right;">Page 13</p> <p>1 exact number.</p> <p>2 Q Do you conduct your surgery primarily at</p> <p>3 one or two hospitals?</p> <p>4 A At two hospitals.</p> <p>5 Q And what hospitals are they?</p> <p>6 A Holy Spirit Hospital and Harrisburg</p> <p>7 Hospital, the PinnacleHealth system.</p> <p>8 Q So even though you have, it looks like,</p> <p>9 treating privileges at Carlisle Regional Medical</p> <p>10 Center, you tend not to go there for surgery, to do</p> <p>11 surgery?</p> <p>12 A That's correct.</p> <p>13 Q Do you -- are you at one hospital more</p> <p>14 than the other? Are you at Pinnacle more than at Holy</p> <p>15 Spirit, or do you split your time fairly equally?</p> <p>16 A Fairly equally.</p> <p>17 Q And is that the same for most of the</p> <p>18 doctors in your practice?</p> <p>19 A I think it is, yes.</p> <p>20 Q Your business address is 423 North 21st</p> <p>21 Street --</p> <p>22 A Yes.</p> <p>23 Q -- Camp Hill?</p> <p>24 Since coming to the Central Pennsylvania</p> <p>25 area, have you written any publications or online</p>	<p style="text-align: right;">Page 15</p> <p>1 presentations on your CV.</p> <p>2 A Yes.</p> <p>3 Q Those were all done while you were in</p> <p>4 New Jersey as well?</p> <p>5 A Yes.</p> <p>6 Q Thank you.</p> <p>7 Have you ever been deposed before?</p> <p>8 A Yes.</p> <p>9 Q In what circumstance? What type of case?</p> <p>10 A A medical malpractice case.</p> <p>11 Q Were you a party in that case?</p> <p>12 A Yes.</p> <p>13 Q And did the case go to trial?</p> <p>14 A Yes.</p> <p>15 Q In Dauphin County?</p> <p>16 A No.</p> <p>17 Q Where was that?</p> <p>18 A New Jersey.</p> <p>19 Q Where did the case go to trial? New</p> <p>20 Jersey? Okay.</p> <p>21 Is that the only time you were deposed?</p> <p>22 A No.</p> <p>23 Q When else -- what other cases were you</p> <p>24 deposed in?</p> <p>25 A Other times in New Jersey.</p>
<p style="text-align: right;">Page 14</p> <p>1 or --</p> <p>2 A No.</p> <p>3 Q -- hard copy?</p> <p>4 Are you on any editorial boards?</p> <p>5 A No.</p> <p>6 Q Have you done any presentations or any</p> <p>7 speaking?</p> <p>8 A No.</p> <p>9 Q And have you participated in any clinical</p> <p>10 studies or trials?</p> <p>11 A No.</p> <p>12 Q Prior to coming to Central Pennsylvania,</p> <p>13 did you do any of those activities?</p> <p>14 A Yes.</p> <p>15 Q Was that when you were in New Jersey?</p> <p>16 A Yes.</p> <p>17 Q And did you publish -- did you have</p> <p>18 something published or did you write publications?</p> <p>19 A Yes.</p> <p>20 Q And are they listed on your CV?</p> <p>21 A Yes.</p> <p>22 Q Were the publications written primarily</p> <p>23 while you were doing your education or afterwards?</p> <p>24 A Primarily during my education.</p> <p>25 Q You also have listed several</p>	<p style="text-align: right;">Page 16</p> <p>1 Q Since coming to Central Pennsylvania,</p> <p>2 have you been deposed?</p> <p>3 A Yes.</p> <p>4 Q In what type of case have they been in?</p> <p>5 A Medical malpractice.</p> <p>6 Q Were you the defendant in that case?</p> <p>7 A Yes.</p> <p>8 Q And did it go to trial?</p> <p>9 A No.</p> <p>10 Q It was resolved before going to trial?</p> <p>11 A It's in the process.</p> <p>12 Q All right.</p> <p>13 So you've been deposed more than once,</p> <p>14 obviously?</p> <p>15 A Yes.</p> <p>16 Q Can you give me an approximate number of</p> <p>17 times?</p> <p>18 A Several.</p> <p>19 Q Well, you know the rules of the</p> <p>20 deposition so I'm not going to explain what's going to</p> <p>21 -- what I'm going to be doing or asking questions</p> <p>22 about. But the only thing we need to remember -- and</p> <p>23 you're very good at this, I have to say -- is wait</p> <p>24 until I ask my question before you start your answer.</p> <p>25 And I will try to do the same. It will make it easier</p>

Page 17

1 for the court reporter.
2 If I ask an inarticulate question that
3 you don't understand, just tell me and I will try to
4 do a better job.
5 Have you ever served as an expert witness
6 in litigation?
7 A No.
8 Q Your speciality is cardiothoracic
9 surgery, is that correct?
10 A Yes.
11 Q Could you just generally describe your
12 practice.
13 A Personal practice or --
14 Q Yes, your personal practice.
15 A Primarily the practice -- my part of the
16 practice consists of coronary bypass surgery, aortic
17 valve replacement surgery and general thoracic
18 surgery, including lung surgery, esophageal surgery
19 and chest wall surgery, in addition to evaluating
20 patients for prospective surgery preoperatively and
21 managing and taking care of patients postoperatively
22 as well.
23 Q Let me just ask you a couple of follow-up
24 questions.
25 You referred to treating them -- meeting

Page 18

1 with them pre -- assessing them for surgery presurgery
2 and then postsurgery as well.
3 Do patients tend to stay with your
4 practice for a long period of time or is it
5 surgery-specific?
6 A It's a surgery-specific practice.
7 Q Do most of your patients come to you --
8 when you said you meet with them to discuss potential
9 surgery, what percentage of your patients meet you in
10 the office and discuss future surgery versus
11 presenting themselves to the hospital and that's where
12 you meet them?
13 A Personally? For my practice?
14 Q Yes, for your practice.
15 A I would say 15 to 20 percent in the
16 office. The remainder are hospital.
17 Q When you meet them in the hospital and
18 you're treating them in the hospital, is that -- is
19 surgery usually conducted sort of on an emergency
20 basis?
21 A No.
22 Q So they would be discharged from the
23 hospital and then come back for surgery?
24 A Sometimes they are, yes.
25 Q Do you know what percentage of the

Page 19

1 patients you meet in the hospital are emergency
2 versus -- is it elective, the opposite of emergency?
3 A True emergency surgery is probably 5
4 percent or less.
5 Q What about the age of your patients, if
6 you can draw any generalities? Are they over a
7 certain age for the most part? Can you give a
8 breakdown of the age of your patients?
9 A The majority of them are older, probably
10 over 65. The majority of patients would be in that
11 age category.
12 Q What percentage, if you can give me a
13 general percentage, of your patients that you operate
14 on have diabetes?
15 A A large percentage. 70 percent or more.
16 Q What percentage of your patients whom you
17 operate on have family histories of cardiovascular
18 problems?
19 A A large percentage. Probably 80 percent
20 or more.
21 Q We are here today, as I told you earlier,
22 in relation to litigation that has been brought by a
23 former patient, I guess, of yours, Frank Schreffler,
24 against GlaxoSmithKline concerning Avandia.
25 Do you have any recollection of

Page 20

1 Mr. Schreffler?
2 A No.
3 Q The surgery you conducted was in 2006.
4 So since that was three -- over three years ago, it's
5 perfectly understandable.
6 I am going to have the court reporter
7 mark a copy of the medical records we have received
8 from the medical service, medical records service.
9 This will be Osevala Exhibit 3. And after she has
10 marked it and hands it to you, then I would like you
11 just do glance at it before I start asking you
12 questions.
13 (Medical records, SchrefflerF_CSI_0001
14 through 0037, collectively marked Osevala Exhibit
15 No. 3.)
16 MS. FISHER: We can go off the record for
17 two minutes while he looks at that.
18 THE VIDEOGRAPHER: We are now going off
19 camera and the time is 3:38.
20 (Discussion held off the record.)
21 THE VIDEOGRAPHER: We are now back on
22 camera and the time is 3:40 p.m.
23 BY MS. FISHER:
24 Q Doctor, you've now had a chance to review
25 the medical records that I've attached as Exhibit 3.

<p style="text-align: right;">Page 21</p> <p>1 And as we discussed, there seems to be two copies of 2 the same documents within this exhibit. One was faxed 3 maybe -- perhaps one was faxed. One was not. 4 Does reviewing these records refresh your 5 memory about your treatment of Mr. Schreffler? 6 A No. 7 Q Okay. 8 Do you remember the circumstances under 9 which you came to treat Mr. Schreffler? 10 A Not specifically, no. 11 Q If you turn to page CSI_0032 to 34, this 12 appears to be a report prepared by Timothy Walsh. 13 It's not signed, but Timothy Walsh's name, on page 34. 14 Do you see that? 15 A I see it, yes. Yes. 16 Q And you were copied on this report? 17 A Yes. 18 Q Do you know who Dr. Walsh is? 19 A Yes. 20 Q Who is he? 21 A He's a cardiologist. 22 Q And is he a doctor you work with on cases 23 sometimes? 24 A Yes. 25 Q You can tell from the top of page 32 that</p>	<p style="text-align: right;">Page 23</p> <p>1 Q Would you have reviewed any blood work 2 that had been done? 3 A Yes. 4 Q Would you have looked at his 5 catheterization results? 6 A Yes. 7 Q Would you have looked at the report or 8 the film? 9 A Definitely the film and sometimes the 10 report. 11 Q If you look at the last three pages -- 12 I'm sorry -- the next three pages, number 32 to 34, 13 which is information dictated by Dr. Walsh, it was 14 dictated on May 8th of 2006 but not transcribed, it 15 appears, until May 11th. So you would not have 16 had this particular document to look at prior to 17 examining Mr. Schreffler, correct? 18 A That's correct. 19 Q So would you have taken your own history 20 of his complaints; or how would you -- what would you 21 normally do? 22 MR. SPIZER: Objection. 23 MS. FISHER: Let me try that question 24 again. 25</p>
<p style="text-align: right;">Page 22</p> <p>1 the admission date for this patient, Mr. Schreffler, 2 was May 8th of 2006. Do you see that? 3 A Yes. 4 Q If you turn to page number 30 at the 5 bottom, 30 and 31. 6 A Yes. 7 Q Do you recognize that document? 8 A Yes. 9 Q And was that document dictated by you? 10 A Yes. 11 Q Do you want to take just a few seconds, a 12 minute, to read through that to see if it refreshes 13 your memory of treating Mr. Schreffler? 14 A Okay. 15 Q Is this a letter or a document you would 16 typically dictate after examining someone and before 17 doing surgery? 18 A Yes. 19 Q And I realize you have no recollection of 20 actually treating Mr. Schreffler because it's been 21 several years. But let me ask a couple questions. 22 Prior to dictating this, what would you 23 typically do with a patient? Would you have examined 24 Mr. Schreffler? 25 A Yes.</p>	<p style="text-align: right;">Page 24</p> <p>1 BY MS. FISHER: 2 Q Again, recognizing you don't recall 3 meeting with Mr. Schreffler in May of 2006, but 4 typically would you take a history from the patient? 5 A Yes. 6 Q So when -- I'm looking on document 7 number -- page number 30 where it says, "Dear Doctors, 8 Thank you for allowing us to help in the care of 9 Mr. Schreffler who is, as you know, a very pleasant 10 59-year-old gentleman with history of diabetes for a 11 number of years and family history of coronary 12 disease. He was admitted yesterday with progressive 13 angina after having chest pain and shortness of breath 14 several weeks ago, and then it returned yesterday. He 15 was sent directly for catheterization today." 16 Would you have prepared that, dictated 17 that, from information you obtained from 18 Mr. Schreffler directly? 19 A Yes. 20 Q Under past medical history, you stated, 21 "significant for longstanding diabetes mellitus." Why 22 would that be significant? 23 MR. SPIZER: Objection. 24 You can answer. 25 MS. FISHER: You can answer.</p>

<p style="text-align: right;">Page 25</p> <p>1 MR. SPIZER: There will be times I 2 object. Just pretend you're my wife and ignore me. 3 MS. FISHER: That can only get you into 4 trouble. 5 BY MS. FISHER: 6 Q But, yes, you can go ahead and answer the 7 question. 8 A The statement "significant for 9 longstanding diabetes" indicates that that's a 10 positive finding in his history that he has diabetes. 11 Q Does the fact that it was longstanding 12 make a difference for purposes of your treatment of 13 him? 14 A No, not really. 15 Q If you look at the bottom of page 30, you 16 reference his labs and then you describe the "cath 17 films reviewed and interpreted". Could you just 18 describe what the cath -- you were writing that the 19 cath films revealed or showed? 20 A The catheterization films demonstrated 21 severe atherosclerotic occlusive coronary disease. 22 Q Since we are videoing this, it may be 23 shown to the jury, and so you need to make it a little 24 more clear for people like me who did not go to the 25 medical school what you just described.</p>	<p style="text-align: right;">Page 27</p> <p>1 dominant coronary circulation. 2 Q All right. And you stated that this was 3 life-threatening at this point, right? 4 A Yes. 5 Q And according to the document you 6 dictated, you discussed this with Mr. Schreffler? 7 A Yes. 8 Q And he was prepared to proceed with the 9 surgery, is that correct? 10 A Yes. 11 Q If you go to page 28 and 29 of Exhibit 3, 12 is this the operating report? 13 A Yes. 14 Q In layman's terms, could you describe 15 what you did for Mr. Schreffler that day, on May 9th 16 of 2006. 17 A Mr. Schreffler had quadruple coronary 18 bypass surgery. He had four bypasses to the specific 19 arteries on his heart which were in jeopardy, which 20 were not receiving adequate blood and adequate oxygen 21 due to his severe coronary blockages. And so he had 22 four bypasses to those particular arteries, which were 23 going to supply his heart with additional oxygen and 24 blood. 25 Q And were there any complications during</p>
<p style="text-align: right;">Page 26</p> <p>1 A The films show that he has significant 2 severe blockage in his arteries that go to his heart, 3 that supply his heart with oxygen and blood. The 4 blockages are severe enough that they are, indeed, 5 life-threatening at this point. 6 In addition, the catheterization films 7 show that his heart function, although it has not -- 8 it is not normal, it is not what we would consider to 9 be a completely normal functioning heart, it still has 10 adequate function to sustain him for his life -- for 11 his future life. 12 Q And that's referencing the ejection 13 fraction? 14 A Correct, yes. 15 Q You referenced -- it states that right 16 dominant system. And you also reference later on 17 severe left main disease. Does that mean that the 18 right side was compensating for the left? 19 A No. When we refer to right dominant 20 system in a catheterization film, it indicates which 21 coronary artery, the right side or the left side, a 22 particular artery originates from. And in his case, 23 the PDA, which is the posterior descending artery, 24 originates from the right side. And by convention 25 that's defined as a right dominant system, a right</p>	<p style="text-align: right;">Page 28</p> <p>1 the surgery? 2 A No. 3 Q I just want to back up a little bit. 4 Before you operated on him and you were taking a 5 history from him, do you know -- let me strike that. 6 Going back to page 30, you referenced it 7 as progressive -- is it angino, angina? 8 A Angina, yes. 9 Q Angina. What does progressive mean? 10 What do you mean when you refer to it as progressive 11 angina? 12 A That the chest pain perhaps starts off at 13 a low level, tolerable, but increases in severity and 14 frequency over a course of perhaps days or weeks. 15 Q In the information that was recorded by 16 Dr. Walsh, it refers to Mr. Schreffler reporting that 17 he had actually treated it -- he thought it was 18 indigestion or reflux and he treated it with Maalox at 19 first. 20 Is that normal for someone to first treat 21 this type of pain as reflux or indigestion? 22 MR. SPIZER: Objection. 23 A It's not unusual. 24 BY MS. FISHER: 25 Q That's a better word. So it's not</p>

Page 29

1 unusual for someone to think it's ingestion first or
2 reflux?
3 A Correct.
4 Q And is there a reason why Maalox might
5 help the symptoms at first?
6 A Not to my knowledge, no.
7 Q If you turn to page 27 of Exhibit 3, this
8 is the discharge diagnosis, is that correct?
9 A It's the discharge summary.
10 Q I'm sorry. Discharge summary?
11 A Yes.
12 Q In the second sentence -- and this was
13 prepared by you, correct?
14 A Yes.
15 Q In the second sentence, it states, "The
16 patient is a pleasant 59-year-old gentleman with
17 extensive history of progressive coronary syndrome."
18 Again, I'm going to ask you to describe
19 what you mean by progressive coronary syndrome.
20 A Chest pain and difficulty with perhaps
21 breathing on an ongoing, continual basis that, again,
22 increases in severity and frequency as days and weeks
23 go by.
24 Q When you did the surgery, did you have
25 any way -- was there any way for you to tell how long

Page 30

1 the syndrome or the blockage had been developing?
2 A No.
3 Q Was it a matter of days, weeks,
4 months?
5 MR. SPIZER: Objection.
6 A No way to tell.
7 BY MS. FISHER:
8 Q No way to tell at all.
9 If you turn to page 25 of Exhibit 3, can
10 you describe -- tell me what this is?
11 A This is a report of a phone call that's
12 received at the office from a patient.
13 Q It appears that Mr. Schreffler had called
14 and reported that his wound had started draining --
15 A Yes.
16 Q -- is that correct?
17 Is that a common problem after surgery?
18 A No.
19 Q Okay. Do you know why this happened with
20 Mr. Schreffler's wound?
21 A No.
22 Q It looks as though you were originally
23 scheduled to see him but then you had to reschedule
24 that, is that correct?
25 A Yes.

Page 31

1 Q If you turn to page 23 of Osevala Exhibit
2 3, could you explain to me or describe to me what this
3 is.
4 A This is a -- an office visit summary
5 sheet which is used in the office when patients come
6 in for visits.
7 Q Can you tell who saw him when he came
8 in for an office visit on May 9th of 2006?
9 A Dr. Park.
10 Q If you turn to Exhibit 3, page 22,
11 there's a letter from Dr. Park dated May 16th of 2006.
12 Do you see that?
13 A Yes.
14 Q And he referenced seeing Mr. Frank
15 Schreffler in the office today. So this was -- the
16 letter was sent the same day that he saw him, is that
17 correct?
18 A Yes.
19 Q This would be one week after the surgery,
20 is that correct?
21 A Yes.
22 Q And why would Dr. Park have seen
23 Mr. Schreffler instead of you?
24 A I believe that I was doing an emergency
25 operation, emergency surgery, and so Dr. Park was kind

Page 32

1 enough to see the patient.
2 Q All right.
3 According to the letter that Dr. Park
4 wrote to Dr. -- is it Maini?
5 A Dr. Maini, yes.
6 Q -- Maini, he ordered Keflex for
7 Mr. Schreffler?
8 A Keflex, yes.
9 Q Keflex. I'm sorry.
10 And what -- why would that have been
11 ordered for Mr. Schreffler?
12 A Keflex is an antibiotic. And frequently
13 when incisions or wounds are draining, antibiotics are
14 ordered as a treatment.
15 Q And Dr. Park was sending this to
16 Dr. Maini because, is Dr. Maini his cardiologist?
17 A Dr. Maini and Dr. Walsh are in the same
18 cardiology practice, yes.
19 Q They're with the Moffitt Group?
20 A Yes.
21 Q If you turn to page 20 and 21 of Exhibit
22 3, based on what you said, page 21 is the -- sort of
23 the notes, the office visit notes that are prepared?
24 A Yes.
25 Q And the letter dated May 23rd, prepared

Page 33

1 the same day, is numbered page 20, is that correct?
2 A Yes.
3 Q This was your follow-up visit with
4 Mr. Schreffler after his surgery?
5 A Yes.
6 Q And he is about two weeks post-operation,
7 postsurgery, is that correct?
8 A Yes.
9 Q If you turn to page 21, which is the
10 office visit notes, it says "no med list." Does that
11 mean he did not bring a med list with him to the
12 office visit?
13 A It could. That sometimes does occur.
14 Q What else could that mean, the no med
15 list?
16 A That the patient was not aware of which
17 medications that he or she was taking.
18 Q Then there's a list of six medications
19 underneath that in someone's handwriting, is that
20 correct?
21 A Yes.
22 Q Would that have been information that he
23 gave the person writing these notes?
24 A It could have been.
25 Q While he was in the hospital for the

Page 34

1 surgery, would he have been on these medications to
2 the best of your knowledge, or you don't recall?
3 A I don't recall.
4 Q The list of medications include
5 Amiodarone? Is that how you pronounce it?
6 A Amiodarone.
7 Q Yes, Amiodarone.
8 Is that something you typically prescribe
9 for patients who have gone through surgery?
10 A Yes.
11 Q And why is that?
12 A It helps prevent irregular heart beats.
13 Q I saw somewhere, maybe his discharge
14 note, that he was on that for only one month. Is that
15 a typical time period for it?
16 A Yes.
17 Q At this point was he still on the Keflex?
18 A Which point?
19 Q On May 23rd, when you last saw him.
20 A I wouldn't be able to say for sure.
21 Q Do you recall whether you discussed with
22 him any of the medications he was taking for diabetes?
23 A No.
24 Q Is that something you would ever discuss,
25 is the medication a patient was on for diabetes?

Page 35

1 A Infrequently.
2 Q What would cause you to discuss it with
3 the patient?
4 A If they would have a particular question
5 about perhaps the time of day or something along that
6 line.
7 Q If you look at page 20, which is the May
8 23rd letter to Dr. Perna, you prepared this letter?
9 A Yes.
10 Q By sending this letter, were you
11 discharging Mr. Schreffler from your care back to
12 Dr. Perna?
13 A Yes.
14 Q When you read through this letter, do you
15 believe this would be the last time you saw
16 Mr. Schreffler?
17 A Yes.
18 Q Looking at the letter, the first
19 paragraph, the last sentence states, "He is doing
20 quite well at this time without any major issues."
21 Had the infection or the drainage
22 resolved itself at that time, do you believe?
23 A I don't recall.
24 Q If you look at the notes, the office
25 notes on the next page, someone wrote, "last two days

Page 36

1 very minimal discharge." Would that have been what he
2 would have reported to you or someone in
3 your office?
4 A Yes.
5 Q If the infection had not resolved, you
6 would have -- would you have mentioned it in your
7 letter --
8 A Yes.
9 Q -- to draw Dr. Perna's attention to it?
10 A Yes.
11 Q Did you also prescribe the Lopressor
12 that's listed on the med list for Mr. Schreffler?
13 A Either myself or the cardiologist who was
14 taking care of him prior to discharge would have been
15 responsible for that.
16 Q I noticed that you copied the Moffitt
17 Heart and Vascular Group in your letter of May 23rd.
18 A Yes.
19 Q Was that because Mr. Schreffler would be
20 continuing to consult with a member of that group?
21 A Yes.
22 Q Do you know looking at these records
23 whether or not Mr. Schreffler's heart had sustained
24 any permanent damage as a result of the heart attack?
25 A No.

Page 37

1 MR. SPIZER: Objection.
2 BY MS. FISHER:
3 Q No, you don't know or --
4 A I do not know.
5 Q If you turn to the next page, which is
6 page number 19, can you tell me what this is?
7 A This is a note from our office which
8 essentially allows the patient to return to
9 activities, work, that kind of thing.
10 Q It states, "Restrictions or limitations:
11 No lifting, pushing or pulling of greater than 10
12 pounds for 4 months after surgery date."
13 At the end of the four months, would he
14 have any -- would Mr. Schreffler have any
15 restrictions?
16 A No.
17 Q So he would be able to hunt and fish as
18 he wanted?
19 A Yes.
20 Q Okay. And even before the four months,
21 would he be able to go to the gym as long as he didn't
22 lift more than 10 pounds?
23 A Yes.
24 Q Do you recall or looking at this letter,
25 does it refresh your memory whether or not you would

Page 38

1 have given him any type of prognosis?
2 A No.
3 Q You just don't have any recollection?
4 A No, I don't.
5 Q If you look at the letter dated May 23rd,
6 the third paragraph states, "I did discuss the
7 issue" -- strike that. "I did discuss the issue of
8 activity and diet with him and encouraged him to
9 become as active as possible."
10 Do you normally have a discussion about
11 activity and diet with patients postsurgery?
12 A Yes.
13 Q Do you have any recollection whether
14 Mr. Schreffler was overweight?
15 A No.
16 Q Do you recall or do you know what you
17 would normally say to a patient about activity and
18 diet?
19 A I know what I usually say to patients.
20 Q What do you usually say?
21 A To become as active as possible, walking
22 is the best thing they can do and to consult with
23 their family doctor and their cardiologist for
24 long-term dietary guidelines.
25 Q Does your normal discussion change if the

Page 39

1 patient is diabetic, with a family history of coronary
2 artery disease?
3 A No.
4 Q The same message to everyone?
5 A Yes.
6 Q Earlier you said about 80 percent, I
7 believe, of your patients are diabetic.
8 A Yes.
9 Q Is that because diabetes is a risk factor
10 for cardiovascular disease?
11 A Yes.
12 Q If you look at page 19, the -- I'm going
13 to say it's a note to allow Mr. -- I think you
14 described it as a note to allow Mr. Schreffler to go
15 back to work but with limitations, is that right?
16 A Yes.
17 Q It states, This is to verify that the
18 above patient has been under our care since May 8th of
19 2006. And it's dated June 6th of '06.
20 But by that time, you had already
21 discharged Mr. Schreffler to Mr. Perna's care and the
22 cardiologist group, is that correct?
23 A Correct.
24 Q If you look at the documents that are
25 included in Exhibit 3 -- and I realize you don't have

Page 40

1 your original files/records with you in front of you,
2 but can you think of any documents that you would have
3 in your file normally that are not in this group?
4 A No.
5 Q So you believe this represents all the
6 files you have in this office for Mr. Schreffler?
7 A Yes.
8 Q If you could turn to page 32 through 34,
9 which again is the document prepared by Dr. Walsh with
10 what appears to be his findings. If you turn to page
11 34, under Extremities, near the top, where it says,
12 "Peripheral pulses are difficult to palpate."
13 A Yes.
14 Q Is that something you would have
15 discovered when you examined Mr. Schreffler?
16 MR. SPIZER: Objection.
17 A If I had checked for his peripheral
18 pulses, yes.
19 BY MS. FISHER:
20 Q Is that something you normally do when
21 you examine the patients?
22 A At times, yes.
23 Q Do you recall if Mr. Schreffler asked you
24 what caused his heart attack?
25 A No.

Page 41

1 Q So Mr. Schreffler testified that you told
2 him he had three strikes against him with his
3 diabetes. Do you have any recollection of that?
4 A No.
5 MR. SPIZER: Objection.
6 BY MS. FISHER:
7 Q Is that something -- do you ever
8 opine as to a cause of a heart attack?
9 A I'm sorry. Could you repeat the
10 question?
11 Q Strike that. It was a bad question.
12 Do patients typically ask for a reason or
13 a cause -- what caused their heart attacks or the
14 blockage?
15 A Yes.
16 MR. SPIZER: Objection.
17 BY MS. FISHER:
18 Q Do you provide answers to the patients
19 when they ask those questions?
20 A Yes.
21 Q Is there a typical response that you have
22 to patients?
23 MR. SPIZER: Objection.
24 A Yes.
25

Page 42

1 BY MS. FISHER:
2 Q And what is that typical response?
3 MR. SPIZER: Objection.
4 A Heart attacks are commonly caused by
5 blockage in the heart arteries, which is a result of
6 buildup of fatty plaque and deposits over a period of
7 time. The plaque can become so big and so obtrusive
8 that clots can form on the fatty deposits, which can
9 suddenly shut off blood flow to that area of the
10 heart. That can result in a heart attack. Or the
11 deposits can build up slowly and eventually totally
12 block off your artery, and that can cause a heart
13 attack.
14 BY MS. FISHER:
15 Q Do you typically discuss risk factors
16 with patients who you've performed this bypass surgery
17 on?
18 A Yes.
19 Q In Mr. Schreffler's case, since he was
20 diabetic and also had a family history with his mother
21 having had bypass surgery at the age of 68, would you
22 have discussed those risk factors with him?
23 A Yes.
24 Q Have you ever opined whether a medication
25 has caused a heart attack?

Page 43

1 A No.
2 MS. FISHER: If we could go off the
3 record for about two minutes, I probably am done. I
4 just want to make sure. And then turn it over to you.
5 MR. SPIZER: Sure.
6 THE VIDEOGRAPHER: We are now going off
7 camera and the time is 4:14.
8 (Recess.)
9 THE VIDEOGRAPHER: We are now back on
10 camera and the time is 4:18.
11 BY MS. FISHER:
12 Q I just have a few more questions for you.
13 I think you mentioned that occasionally
14 you might question a patient's medication if you think
15 it's the wrong medication for that patient, is that
16 correct?
17 A No.
18 MR. SPIZER: Objection.
19 BY MS. FISHER:
20 Q When we were looking at Mr. Schreffler's
21 list of medications, I asked if you ever suggested
22 changes in the medication to the treating physician,
23 is that correct?
24 A Yes.
25 Q Do you remember that?

Page 44

1 And have you ever made any suggestions or
2 recommendations on changing medication?
3 A Yes.
4 Q Under what circumstances would you do
5 that?
6 A Usually it's blood pressure medication,
7 adjustments for the patient's blood pressure when it's
8 either too high or too low, or medications that affect
9 the heart rate. If the heart rate is too high or too
10 low, medications there can be adjusted.
11 Q If a patient is on a diabetic drug, a TZD
12 or anything like that, do you ever make any
13 recommendations about the diabetic drug --
14 A No.
15 Q -- the drug for diabetes?
16 A No.
17 Q The medical records that we've -- strike
18 that.
19 The records that are attached included in
20 Exhibit 3, are these kept in your normal course of
21 business?
22 A Yes.
23 Q Were you aware that Mr. Schreffler had
24 brought a lawsuit against GlaxoSmithKline regarding
25 the use of Avandia?

Page 45

1 A No.
2 MS. FISHER: I have no further questions
3 at this time.
4
5 CROSS-EXAMINATION
6
7 BY MR. SPIZER:
8 Q Doctor, good afternoon. Thank you for
9 your time.
10 A Sure.
11 Q My name is Greg Spizer and I represent
12 Frank Schreffler. Mr. Schreffler alleges that his May
13 2006 heart attack was caused by his use of Avandia.
14 And I'm just going to have some additional questions
15 for you today, okay?
16 A Yes.
17 Q Great.
18 And I tried to look over your -- today
19 was the first time I saw your CV. So I tried to look
20 it over quickly.
21 In your experience since
22 basically medical school, have you ever worked in the
23 pharmaceutical industry?
24 A No.
25 Q Have you ever been involved in any

Page 46

1 clinical trials?
2 A Yes.
3 Q Okay. Are we -- was that back when you
4 were practicing in New Jersey?
5 A Yes.
6 Q Okay. Do you remember the drugs or drug?
7 A No.
8 Q Okay. Do you remember the companies
9 involved?
10 A No.
11 Q Okay. Do you -- and currently, within
12 maybe the last few years, do you ever speak on behalf
13 of pharmaceutical --
14 A I do recall.
15 Q Okay.
16 A The trial was looking at the preparation
17 -- the skin preparation prior to surgery, comparing a
18 standard Betadine wash or solution to a single swipe
19 with a -- with what at that time was a new product.
20 Q Okay. Do you know who -- what companies
21 were involved with that product?
22 A No.
23 Q Okay. No problem.
24 Other than that do you remember any other
25 clinical trials?

Page 47

1 A No.
2 Q Okay. In the recent past, in the last
3 few years, have you ever spoken on behalf of a
4 pharmaceutical company?
5 A No.
6 Q Okay. Have you ever done any work for
7 the defendant in this case, GlaxoSmithKline, either as
8 a consultant or a speaking engagement, something like
9 that?
10 A No.
11 Q Okay. Have you ever done that for any
12 pharmaceutical company?
13 A No.
14 Q Okay. How did you become aware that your
15 deposition was requested in this case?
16 A Through our office manager.
17 Q Okay. And I presume she received a
18 letter, some communication that your deposition was
19 wanted and she approached you about it?
20 A Yes.
21 Q Okay. Were the arrangements of
22 scheduling this deposition today all done by your
23 office manager?
24 A Yes.
25 Q Did you have any communications, meaning

Page 48

1 you personally, with any of the lawyers for
2 GlaxoSmithKline?
3 A No.
4 Q Have you spoken about this case with any
5 lawyers from GlaxoSmithKline?
6 A No.
7 Q Have you spoken about this case with any
8 representatives of GlaxoSmithKline?
9 A No.
10 Q Have you spoken with this case about
11 any -- well, let me ask you this: Are you ever
12 visited by sales representatives, pharmaceutical sales
13 representatives?
14 A No.
15 Q No. Okay. So you wouldn't have talked
16 about this case with any GlaxoSmithKline
17 pharmaceutical reps?
18 A No.
19 Q Okay. I'm going to try not to tread over
20 the same ground so we can all get out of here.
21 My understanding from your answers to
22 counsel's questions was that you do not have an
23 independent recollection of Mr. Schreffler; is that
24 true?
25 A Yes.

Page 49

1 Q Okay. If he were to walk in this room
2 right now, would you able to recognize him?
3 A No.
4 Q Okay. Now, just generally about your
5 practice, I understand that most times when you see a
6 patient for the first time, it's in the hospital?
7 A Yes.
8 Q Okay. And I'm pointing out the window
9 because I think the hospital is across the street.
10 A Yes.
11 Q All right. I went there first by
12 mistake.
13 The -- how does it work generally? Who
14 -- who calls either you or your practice into a case?
15 How does that work?
16 A One of two ways usually. The
17 cardiologists, after they perform the catheterization,
18 calls a surgeon directly or calls the office directly
19 and let's either the surgeon or the office know that
20 there's a patient they want to have seen for a
21 surgical evaluation. Or nursing personnel or
22 secretarial personnel in the hospital, when they're
23 transcribing orders that the cardiologist will write,
24 will notify either the surgeon or the office of a
25 patient that needs to be seen.

Page 50

1 Q Okay. All right. In this case I presume
2 you don't have a recollection as to how you were
3 called into the case?
4 A No.
5 Q I apologize. Dr. Walsh, I believe, was
6 the cardiologist caring for Mr. Schreffler. And you
7 testified that you know Dr. Walsh?
8 A Yes.
9 Q Does he -- do you have a
10 relationship with him whereby he would call you
11 personally; or would he call the office if there was a
12 case where he felt he needed a CT surgeon?
13 A Usually he calls the office.
14 Q Okay. And let's assuming that followed
15 course. He's treating Mr. Schreffler at Holy Spirit
16 Hospital, across the street, and he realizes he needs
17 a cardiothoracic surgeon. They call your practice
18 here, the Cardio -- I don't want to butcher the name.
19 A CVSI.
20 Q Capital Area Cardiovascular Surgical
21 Institute gets a phone call. How is it decided
22 amongst the five doctors here who's going to be
23 assigned to that particular case?
24 A It's often done on availability, who is
25 not in the operating room, who is in that hospital at

Page 51

1 that particular time. That's the usual manner in
2 which it's handled.
3 Q Okay. Just based on the rotation and
4 availability?
5 A Yes.
6 THE VIDEOGRAPHER: Excuse me. Can we
7 pause one minute. I just want to check the audio on
8 that mic. It seems like there might be a minor
9 problem with it since it was --
10 MR. SPIZER: Sure.
11 THE VIDEOGRAPHER: We are now going off
12 camera and the time is 4:27.
13 (Recess.)
14 THE VIDEOGRAPHER: We are now going back
15 on camera and the time is 4:39 p.m.
16 BY MR. SPIZER:
17 Q Doctor, we were discussing before we took
18 the break of how you became the surgeon on
19 Mr. Schreffler's case. And it sounds like through the
20 normal practice, you were -- the office likely
21 received a call; and due to availability, you were the
22 one that happened to see Mr. Schreffler and then
23 ultimately performed the surgery. Is that likely what
24 happened?
25 A Yes.

Page 52

1 Q Okay. So I understand the role of a
2 cardiothoracic surgeon, do you do the catheterization?
3 A No.
4 Q Okay. And just so we're clear, a
5 catheterization is what?
6 A The cardio catheterization is the
7 visualization of the arteries around the heart. And
8 it's done by the cardiologist; and they thread a small
9 thin catheter up through the abdominal aorta and it
10 engages into the coronary arteries -- up through the
11 thoracic artery into the coronary arteries, and it
12 outlines the path of the coronary arteries and details
13 any blockages that may exist.
14 Q And in Mr. Schreffler's case, do you know
15 if during that catheterization did they do an
16 angioplasty or a stent? Do you recall or do the
17 records indicate that to you?
18 A I don't recall.
19 Q If there was a stent required or
20 angioplasty, would that be something done by the
21 interventional cardiologist or would that be something
22 you would do?
23 A The interventional cardiologist.
24 Q Okay. Now, the -- is Dr. Walsh an
25 interventional cardiologist?

Page 53

1 A No.
2 Q But in order for you to be called in, the
3 cardiologist on call, whether it be the cardiologist
4 or the interventional cardiologist, believes that a
5 patient needs to be seen as a potential surgical
6 candidate, is that fair?
7 A Yes.
8 Q Okay. And then you then come in.
9 And I believe you testified that you then will see
10 the patient. You will do a physical exam on the
11 patient, is that right?
12 A Yes.
13 Q You'll also actually review the cath
14 films --
15 A Yes.
16 Q -- is that right?
17 And you also look at the lab results
18 and other, I guess, diagnostic tests?
19 A Yes.
20 Q Okay. And at that point, after you've
21 done that analysis, you're able to make a decision
22 whether this patient is a -- whether surgery is a
23 proper option for this patient?
24 A Yes.
25 Q In Mr. Schreffler's case, you felt that

Page 54

1 the -- well, let me ask you this: Mr. Schreffler had
2 bypass surgery, correct?
3 A Yes.
4 Q And just so I'm clear, and if this tape
5 is ever shown, so the jury is clear, when you say
6 bypass surgery -- and I know you explained a little
7 bit -- but what does it mean, bypass surgery? Does
8 that mean that the heart is actually stopped and blood
9 is passed away -- it's bypassed through a machine, in
10 essence?
11 A Yes. The heart lung machine is used to
12 redirect the blood away from the heart and the lungs
13 during the operation and directs the blood to the
14 remainder of the body so that the heart can be --
15 remain still, the field can be bloodless and the
16 operation can be done accurately and precisely.
17 Q And when you talk about bypass, for
18 example, if there's a blockage in the artery, what are
19 you doing as a cardiothoracic surgeon in order to get
20 around that blockage? Are you actually circumventing
21 around for an artery or are you using -- how does that
22 work?
23 A We bring new blood -- new blood supply
24 into the heart muscle. And that is done by
25 constructing an alternative route for the blood to the

Page 55

1 heart muscle around the blockage in the native
2 coronary artery.
3 Typically in a vein bypass, one end of
4 the vein is attached to the aorta. The other end of
5 the vein is attached -- and this is done with suturing
6 -- to the coronary artery where the blockage occurs
7 but beyond the level of the blockage so that the blood
8 will come out of the heart, into the aorta, travel
9 down the vein into the heart artery downstream beyond
10 the blockage in the heart artery.
11 Q So in Mr. Schreffler's case, is that what
12 you did?
13 A Yes.
14 Q Now, I think in one of your notes, when
15 you did a letter, I believe, to his doctors, you
16 indicated that you discussed the risks and the
17 benefits of the surgery with the patient?
18 A Yes.
19 Q Is that your common practice?
20 A Yes.
21 Q And what are some of the risks of bypass
22 surgery?
23 A Infection and the incisions bleeding,
24 which could necessitate going back to the operating
25 room; stroke, heart failure, kidneys can fail,

Page 56

1 pulmonary complications and death.
2 Q Okay. And you -- do you advise patients
3 of those risks before doing the surgery?
4 A Yes.
5 Q Okay. And you as a physician, I take it,
6 have to -- when you're analyzing the patient and the
7 cath films and diagnostic tests, you're then analyzing
8 whether that patient then -- whether the benefit of
9 the surgery outweighs the risks of the surgery,
10 correct?
11 A Yes.
12 Q And in Mr. Schreffler's case, you
13 indicated it was a life-threatening situation?
14 A Yes.
15 Q So in his case, your recommendation was
16 to go forward with the surgery?
17 A Yes.
18 Q And the surgery was performed?
19 A Yes.
20 Q Okay. And then -- so you come in as the
21 cardiothoracic surgeon. You do the surgery. And then
22 after the surgery is over, you have -- is it fair to
23 say you have a few follow-up visits with the -- or
24 the patient has a few follow-up visits with you
25 because you were the surgeon?

Page 57

1 A Yes.

2 Q And then generally the patient is then

3 discharged to carry out treatment with their primary

4 care physician and their cardiologist?

5 A Correct, yes.

6 Q So is it fair to say that your

7 interaction with patients is usually fairly limited?

8 MS. FISHER: Object to the form.

9 MR. SPIZER: Maybe that's a badly phrased

10 question.

11 BY MR. SPIZER:

12 Q It's not fairly limited because your

13 performing a major surgery, and I don't want to

14 minimize what you do.

15 What I guess I'm saying is, from a

16 time-frame perspective, you're -- I mean, you're

17 called in basically to perform the surgery. After

18 surgery, assuming all goes pretty well, you have a few

19 follow-up visits. And then the patient is released

20 back to their primary care physician, cardiologist.

21 From a time frame perspective, you --

22 it's -- you don't have a longstanding relationship

23 with a patient?

24 A That's correct.

25 Q And is it fair to say that when a -- and

Page 58

1 when a patient is first -- comes into the hospital

2 with chest pain or symptoms that somebody might think

3 is indicative of a myocardial infarction, they would

4 not call you or someone in your group; they would call

5 the cardiology group on call?

6 A That's correct.

7 Q All right. And it would be the

8 cardiology group that would be making the assessment

9 as to what steps to then take?

10 A Correct.

11 Q And it would be the cardiology group that

12 would maybe make assessments as to what caused or did

13 not cause the underlying heart attack?

14 MS. FISHER: Object to the form.

15 A Yes.

16 BY MR. SPIZER:

17 Q Okay. And you're brought in after those

18 steps are taken in order to, in essence, fix the

19 problem?

20 A Yes.

21 Q Okay. In this case, the drug -- there's

22 an allegation about the drug Avandia.

23 A Okay.

24 Q Have you ever prescribed Avandia?

25 A No.

Page 59

1 Q Okay. Because you haven't prescribed --

2 well, strike that.

3 As you have not prescribed it, have you

4 to your knowledge ever read the warning or the drug

5 label, the Avandia label?

6 A No.

7 Q Have you ever read any articles about

8 Avandia?

9 A No.

10 MS. FISHER: Object to the form.

11 BY MR. SPIZER:

12 Q Have you ever reviewed any Food and Drug

13 Administration documents about Avandia?

14 MS. FISHER: Object to the form.

15 A No.

16 BY MR. SPIZER:

17 Q Have you ever looked at any internal

18 GlaxoSmithKline documents about Avandia?

19 MS. FISHER: Object to the form.

20 A No.

21 MR. SPIZER: What's with the question

22 have you ever looked at any GlaxoSmithKline documents?

23 MS. FISHER: We can go off the record and

24 I can explain it or we can just keep going.

25 MR. SPIZER: I'm entitled to an

Page 60

1 opportunity if you're saying there's an objection to

2 the form, but I'll keep going.

3 MS. FISHER: The form of the question

4 implies information in documents that are confidential

5 to GlaxoSmithKline. Just the form of the question.

6 MR. SPIZER: I disagree with you, but

7 we'll let it stand.

8 BY MR. SPIZER:

9 Q Have you ever looked at any

10 GlaxoSmithKline documents about Avandia?

11 A No.

12 Q Would you consider yourself an expert on

13 the drug Avandia?

14 A No.

15 Q Would you consider yourself an expert --

16 well, strike that.

17 I'll ask, would you consider yourself an

18 expert on any of the diabetic medications?

19 A No.

20 Q Have you followed any of the news reports

21 about Avandia and its link to heart attacks or heart

22 failure?

23 MS. FISHER: Object to the form.

24 A No.

25

Page 61

1 BY MR. SPIZER:
2 Q Given that, as you've said, you've read
3 no -- you know, you've looked at no documents about
4 Avandia, you haven't prescribed it, you haven't looked
5 at its label, you haven't looked at any
6 GlaxoSmithKline documents, you haven't looked at any
7 Food and Drug Administration documents about it, are
8 you able to give an opinion one way or the other as to
9 whether Avandia can or cannot cause heart attacks?
10 A No.
11 MS. FISHER: Object to the form.
12 BY MR. SPIZER:
13 Q No, meaning you cannot opine on whether
14 Avandia can or cannot cause heart attacks?
15 A Could you repeat the question, please.
16 Q Yes. It was poorly phrased.
17 Because you have not -- you've never
18 prescribed Avandia, correct?
19 A Correct.
20 Q You've never read any articles about
21 Avandia. You've never looked at any documents about
22 Avandia. You haven't followed any of the news or any
23 of the studies regarding Avandia and its potential
24 link to heart attacks or heart failure.
25 Is it then fair to say you are not in a

Page 62

1 position to opine whether or not Avandia can or cannot
2 cause a heart attack?
3 MS. FISHER: Object to the form.
4 A That's true.
5 BY MR. SPIZER:
6 Q And would that be then true in this case,
7 that you were unable to give an opinion one way or the
8 other as to whether Avandia did or did not cause
9 Mr. Schreffler's myocardial infarction?
10 MS. FISHER: Object to the form.
11 A Correct.
12 BY MR. SPIZER:
13 Q So you're not giving a causation opinion
14 in this case?
15 A Correct.
16 MS. FISHER: Object to the form.
17 You have to give me a chance to --
18 MR. SPIZER: Why don't we read that back.
19 BY MR. SPIZER:
20 Q My question was -- and we'll give counsel
21 an opportunity to object. So given that, am I correct
22 that you are not making a causation opinion on this
23 case?
24 MS. FISHER: Object to the form.
25 A Yes.

Page 63

1 BY MR. SPIZER:
2 Q Now, from reading your chart of
3 Mr. Schreffler, you released him after a few visits to
4 his primary care physician and cardiologist, correct?
5 A Yes.
6 Q Other than the small drainage issue, you
7 saw no other complications from his bypass surgery?
8 A Correct.
9 Q And there's nothing in this -- in your
10 chart to reflect that you've seen Mr. Schreffler since
11 June of '06?
12 A Correct.
13 Q Does someone who has undergone bypass
14 surgery, are there any potential long-term
15 complications?
16 A Yes.
17 Q And what are they?
18 A The blockages could progress and block
19 off new areas of the -- of the heart arteries. The
20 bypasses themselves can develop blockage and cause
21 problems.
22 Q So, I've heard the expression -- and this
23 may be an inaccurate term from a layman -- restenosis.
24 But could the bypass you've created, the -- I guess
25 the detoured route for the blood to get to the heart,

Page 64

1 could that actually form a blockage?
2 A Yes.
3 Q And is that something that someone like
4 Mr. Schreffler is going to have to or his doctors be
5 mindful of?
6 A Yes.
7 MR. SPIZER: I have no further questions
8 at this time, Doctor. Thank you.
9 MS. FISHER: I just have a few follow-up
10 questions.
11
12 REDIRECT EXAMINATION
13
14 BY MS. FISHER:
15 Q You were just describing some of the
16 problems that bypass patients may face in the future
17 or may have to watch out for; the blockage that could,
18 you said, increase or also the bypasses themselves,
19 the graphs could develop blockage; is that correct?
20 A Yes.
21 Q To the best of your knowledge,
22 Mr. Schreffler hasn't developed any of those, right?
23 A What's the question?
24 Q To the best of your knowledge, you don't
25 have any information that suggests Mr. Schreffler has

Page 65

1 had any problems after his surgery, is that correct?
2 A Correct.
3 Q You were being asked multiple questions
4 about possible causes of Mr. Schreffler's heart
5 attack, and you said you were not able to opine on the
6 cause of the heart attack.
7 If Mr. Schreffler testified in his
8 deposition that you told him that your response to his
9 question how could I have been blocked, Mr. Schreffler
10 testified and his response was, "You got three strikes
11 against you, two of them are you're diabetic and one
12 you've got a heart history in your family, and that's
13 the best explanation that anybody ever gave me other
14 than, I mean, as far as coming up with any other kind
15 of an explanation", do you have any reason to dispute
16 Mr. Schreffler's recollection of what you told him?
17 MR. SPIZER: Object to the form.
18 A No.
19 BY MS. FISHER:
20 Q In fact, based on your testimony that I
21 think 80 percent of your patients are diabetic and a
22 large percentage of them have heart problems in the
23 family, that would be consistent, is that correct?
24 A Yes.
25 MR. SPIZER: Objection.

Page 66

1 MS. FISHER: I have no further questions.
2 Thank you.
3 MR. SPIZER: I just have a quick
4 follow-up.
5
6 RECROSS-EXAMINATION
7
8 BY MR. SPIZER:
9 Q Doctor, you were just read some testimony
10 from Mr. Schreffler's -- his deposition. You were
11 just read that, correct?
12 A Yes.
13 Q Okay. And assuming his memory of the
14 conversation is accurate, when he had the conversation
15 with you in May of 2006, would it be fair to say that
16 you were not -- you did not have any information about
17 Avandia at that time?
18 MS. FISHER: Object to the form.
19 A That's correct.
20 BY MR. SPIZER:
21 Q All right. And -- because you testified
22 earlier to my questions that you were not -- you
23 have -- you have no -- really, no knowledge base about
24 Avandia, correct?
25 A Correct.

Page 67

1 Q Okay. So when you were talking to
2 Mr. Schreffler in May of 2006, you could not have
3 taken Avandia into account because that was not
4 something that you have any knowledge about, correct?
5 A Correct.
6 MS. FISHER: Object to the form.
7 MR. SPIZER: No further questions.
8 THE VIDEOGRAPHER: This deposition is now
9 concluded and the time is 4:57 p.m.
10 (The deposition was concluded at
11 4:57 p.m.)
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 68

1 STATE OF PENNSYLVANIA : §
2 COUNTY OF DAUPHIN :
3
4 I, Donna J. Fox, a Reporter-Notary Public,
5 authorized to administer oaths within and for the
6 Commonwealth of Pennsylvania and take depositions in the
7 trial of causes, do hereby certify that the foregoing is the
8 testimony of Mark A. Osevala, D.O.
9 I further certify that before the taking of
10 said deposition, the witness was duly sworn; that the
11 questions and answers were taken down stenographically by the
12 said reporter Donna J. Fox, a Reporter Notary-Public,
13 approved and agreed to, and afterwards reduced to typewriting
14 under the direction of the said Reporter.
15 I further certify that the proceedings and
16 evidence contained fully and accurately in the notes by me on
17 the within deposition, and that this copy is a correct
18 transcript of the same.
19 In testimony whereof, I have hereunto,
20 subscribed my hand this 30th day of October, 2009.
21
22 _____
23 Donna J. Fox, Reporter
24 My commission expires:
25 April 7, 2012

EXHIBIT C

In Re:
Avandia/Self v.
GSK

Muhamed Saleh Faour, M.D.
November 25, 2009

GOLKOW TECHNOLOGIES, INC.
Excellence In Court Reporting For Over 20 Years
877.370.3377
deps@golkow.com

Original File mfl12509.txt
Min-U-Script® with Word Index

Case ID: 080202733
Control No.: 10012195

Page 1

1 IN THE COURT OF COMMON PLEAS
2 PHILADELPHIA COUNTY, PENNSYLVANIA
3
4 IN RE:) February Term,
5 AVANDIA LITIGATION) 2008
6) No. 2733
7
8 JOE SELF,) October Term,
9 Plaintiff,) 2007
10 v.) Case No. 2457
11 SMITHKLINE BEECHAM)
12 CORPORATION d/b/a) AVANDIA CASE:
13 GLAXOSMITHKLINE,) "TV"
14 Defendant.)

15
16 The videotaped deposition of:
17 MUHAMED SALEH FAOUR, M.D.
18 November 25, 2009
19
20
21 Terri Comstock, Court Reporter
22
23
24
25

Page 2

1 APPEARANCES OF COUNSEL
2
3 For the Plaintiff: David J. Dickens, Esquire
4 THE MILLER FIRM, LLC
5 The Sherman Building
6 108 Railroad Avenue
7 Orange, VA 22960
8 540-672-4224
9 ddickens@doctoratlaw.com
10
11 For the Defendant: Anthony Vale, Esquire
12 PEPPER HAMILTON, LLP
13 3000 Two Logan Square
14 Eighteenth and Arch Streets
15 Philadelphia, PA 19103-2799
16 215-981-4502
17 valea@pepperlaw.com
18
19 Also Present: Erik Parks, Videographer
20
21
22
23
24
25

Page 3

1 The videotaped deposition of MUHAMED SALEH
2 FAOUR, M.D., was taken on behalf of the Defendant at
3 1200 Conference Center Boulevard, Murfreesboro,
4 Tennessee, commencing at 9:01 a.m., November 25, 2009.
5 It is stipulated that all formalities as to
6 notice, caption, et cetera are waived. All objections,
7 except as to the form of the question, are reserved
8 until the time of hearing.
9 It is agreed that Terri Comstock, being a Notary
10 Public and Court Reporter for the State of Tennessee,
11 may swear the witness and that the reading and signing
12 of the completed deposition by the witness are waived.
13
14
15 INDEX
16 Direct Examination by Mr. Vale.....4
17 Cross-Examination by Mr. Dickens.....39
18 Redirect Examination by Mr. Vale.....85
19
20
21 EXHIBITS
22 No. 1 Medical Records.....27
23 No. 2 PDR 2005.....14
24
25

Page 4

1 MUHAMED SALEH FAOUR, M.D.,
2 After having first been duly sworn, testified as
3 follows, to wit:
4 DIRECT EXAMINATION
5 BY MR. VALE:
6 Q. Good morning, Dr. Faour. My name's Tony Vale
7 and I'm representing GlaxoSmithKline this morning. It's
8 my obligation to make the questions as clear as I can,
9 but if I don't, would you ask me to restate the
10 questions?
11 A. (No response.)
12 Q. You have to say yes or no or speak.
13 A. Yes.
14 Q. Have you given a deposition before?
15 A. Yes.
16 Q. Like this? So you know what the procedures
17 are?
18 A. Yes.
19 Q. You don't need me to explain anything more
20 about how this works?
21 A. No.
22 Q. I mean, I guess the main thing to be sure we
23 understand is that because this case is pending in
24 Philadelphia, it may be that the videotape of this
25 deposition would be shown at a trial in Philadelphia.

Page 5

1 A. Right.
2 Q. So it's important that you give clear and
3 honest answers; is that okay?
4 A. Right, yes.
5 Q. You're a medical doctor, are you?
6 A. Yes.
7 Q. And do you specialize in internal medicine?
8 A. Yes.
9 Q. And where do you practice?
10 A. Right now?
11 Q. Yes.
12 A. In Shelbyville.
13 Q. Shelbyville?
14 A. Yes.
15 Q. And that's in Tennessee?
16 A. Yes.
17 Q. And can you describe your medical practice for
18 us, please?
19 A. It's a private medical practice, an office
20 plus hospital patients.
21 Q. Well, today, is it a general practice seeing
22 adults?
23 A. Yes.
24 Q. You don't practice in a hospital?
25 A. I do, yes.

Page 6

1 Q. Oh, you do go?
2 A. Yes.
3 Q. Okay. Tell me how many patients you see in
4 the hospital versus see in an office.
5 A. About five a day in the hospital, plus 25 --
6 20 to 25 in the office.
7 Q. Okay. In the year 2005, were you practicing
8 somewhere else in Tennessee?
9 A. Yes.
10 Q. And where was your practice then?
11 A. Tennessee Valley Specialty Clinic -- or
12 Center, yeah.
13 Q. And what was the name of the town that you
14 practiced in?
15 A. In Pulaski, Tennessee.
16 Q. Pulaski?
17 A. Yes.
18 Q. And is that in southern Tennessee?
19 A. Yes.
20 Q. And were you in a practice there with another
21 doctor called Dr. Haggag?
22 A. Yes.
23 Q. And was that called the Giles Family Health
24 Center?
25 A. Used to, yes.

Page 7

1 Q. Okay. And did Joe Self become a patient of
2 that practice?
3 A. Yes.
4 Q. And you saw him on a couple of occasions in
5 2005?
6 A. Yes.
7 Q. And do you have some records relating to
8 Mr. Self's visits to the practice in front of you today?
9 A. Yes.
10 Q. Before we talk some more about Mr. Self, I'd
11 like to ask you a bit about your background and about
12 diabetes. Where did you get your medical degree?
13 A. The diploma from Damascus University in Syria.
14 Q. And did you come and do a residency in the
15 United States?
16 A. Yes.
17 Q. And where did you do your residency?
18 A. Mercy Hospital in Pittsburgh.
19 Q. And what area of medicine did you specialize
20 in at Mercy Hospital?
21 A. Internal Medicine.
22 Q. And during your residency, did you learn about
23 the treatment of diabetes?
24 A. That's right.
25 Q. And after your residency, did you become board

Page 8

1 certified in Internal Medicine?
2 A. Yes.
3 Q. And what does board certification mean?
4 A. You have to pass the board exam, the national
5 board exam.
6 Q. So it's an additional qualification after
7 medical school?
8 A. Right, right. Well, you have to have a
9 medical school degree and then a residency training and
10 then you pass the board exam.
11 Q. How many years did you spend in Pittsburgh as
12 a resident?
13 A. Three years.
14 Q. And then did you come to practice -- you went
15 to the private practice?
16 A. Yes.
17 Q. Was that in Tennessee or somewhere else?
18 A. In Tennessee.
19 Q. So you've been practicing in Tennessee since
20 the end of your residency?
21 A. Yes.
22 Q. And when did you actually come to Tennessee?
23 A. July 2003.
24 Q. So as of today -- we're here -- it's November
25 2009, so you've been practicing in Tennessee for about

Page 9

1 six and a half years?
2 A. Uh-huh.
3 Q. Was Avandia a medicine that you and your
4 supervisors prescribed to patients with diabetes during
5 your residency?
6 A. I believe so, yeah.
7 Q. And in your current practice, do you have
8 patients with diabetes?
9 A. Yes.
10 Q. About how many? I mean, do you see one a day
11 or ten a day or...
12 A. About, I would say, three a day.
13 Q. Three a day with type 2 diabetes?
14 A. Yes.
15 Q. And are some of your current patients on
16 Avandia?
17 A. Yes.
18 Q. So you continue to prescribe it?
19 A. Yes.
20 Q. I take it you believe it's a safe and useful
21 medicine for your patients?
22 MR. DICKENS: Object to the form.
23 Q. (By Mr. Vale) Well, let me just -- sometimes
24 there'll be an objection, Dr. Faour, and that may cause
25 me to restate the question.

Page 10

1 A. Okay.
2 Q. About how many patients do you have on Avandia
3 today?
4 A. How many total patients --
5 Q. Yes.
6 A. -- in my practice?
7 Q. Just roughly.
8 A. I would say about eight or ten.
9 Q. Okay. Do you have any family members of yours
10 that take Avandia?
11 A. Yes.
12 Q. Without naming them, what, are they close
13 family relatives?
14 A. Yes.
15 Q. And who are they? I mean, just say whether
16 they're a mother or a father or an uncle or an aunt.
17 A. My uncle.
18 Q. You have an uncle that takes --
19 A. He's not like, you know, a real uncle. He's
20 like a distant uncle to me, yeah.
21 Q. But he takes Avandia?
22 A. Yes.
23 Q. And are you the prescriber or have you advised
24 him on medicines that he takes?
25 A. Not the -- I give him samples, yeah.

Page 11

1 Q. Let's talk for a minute about type 2 diabetes.
2 How do you diagnose type 2 diabetes?
3 A. By symptoms and a blood test.
4 Q. What are the typical symptoms of somebody that
5 has type 2 diabetes?
6 A. Fatigue, frequent urination, thirst, blurred
7 vision, generalized weakness, weight loss.
8 Q. And then after describing those symptoms to
9 determine whether the patient has diabetes, you'll do
10 some blood work?
11 A. Yes.
12 Q. And if the blood sugar levels are above a
13 certain level, you will determine that they have type 2
14 diabetes?
15 A. Yes.
16 Q. And generally, what's the level at which you
17 determine that they have diabetes to see whether --
18 A. On the blood test, you mean?
19 Q. Yes. In other words, if the blood test is
20 over what would you say that somebody has diabetes?
21 A. Well, it depends on which blood test. If it's
22 a hemoglobin A1c, usually -- and it also depends on the
23 reference lab. If it's above 7, you know, most
24 likely there is type 2 diabetes.
25 Q. That's 7 on the A1c test?

Page 12

1 A. Yes, yes.
2 Q. And what about for a fasting test?
3 A. It also depends on the referene lab.
4 Usually, if it's above like 120, most labs, you know...
5 Q. So above 120 milligrams per deciliter for a
6 fasting blood sugar test?
7 A. Yes, yes.
8 Q. Are patients that have type 2 diabetes at risk
9 for a heart attack?
10 A. Yes, they are.
11 Q. And why is that?
12 A. Because having diabetes for -- the longer you
13 have diabetes and the less controlled the blood sugar
14 is, you're going to have a risk of having, you know,
15 atherosclerosis in the heart and the peripheral vessels.
16 Q. What is -- just because it may be jurors that
17 don't understand all the medical terms here, what does
18 atherosclerosis mean?
19 A. Well, you get the plate -- do you want me to
20 go through the pathology or just --
21 Q. Well, not -- no, not too complicated, but in
22 general, does atherosclerosis, it means a hardening of
23 the arteries?
24 A. Yes, yes, hardening and narrowing of the lumen
25 of the blood vessels.

Page 13

1 Q. So it makes it more difficult for the blood to
2 get through to the heart?
3 A. That's right.
4 Q. And the heart needs the oxygen in the blood to
5 work properly?
6 A. Uh-huh.
7 Q. I know that's very simple, but that's the gist
8 of it?
9 A. Yes.
10 Q. Aside from diabetes, what are other risk
11 factors for having a heart attack?
12 A. High blood pressure, high cholesterol,
13 smoking, obesity, family history.
14 Q. Are those all factors that you inquire into
15 when you first see a patient?
16 A. Yes, we do.
17 Q. You need to know that information?
18 A. Yeah.
19 Q. Are you familiar with a book called the PDR?
20 A. PDR, yes.
21 Q. And that's the Physicians' Desk Reference?
22 A. Yes.
23 Q. And that's a book that -- do you have one in
24 your office?
25 A. I do.

Page 14

1 Q. And it contains information on all of the
2 prescription medicines that are available?
3 A. Yes.
4 Q. And is that a book that you consult from time
5 to time to get information about the medicines that
6 you're prescribing or you might consider prescribing?
7 A. Yes.
8 Q. So it has information on like dose and side
9 effects, that sort of thing?
10 A. Right.
11 Q. And does it also provide the information that
12 the manufacturer of the drug has determined from the
13 clinical trials of the drug?
14 A. Yes.
15 Q. Let's take a look at the PDR from 2005.
16 MR. VALE: We'll mark this as Exhibit 2 for
17 the deposition.
18 (Documents marked as Exhibit Number 2.)
19 Q. (By Mr. Vale) And I'm handing you a copy of
20 not the entire book because it's a big, thick book,
21 correct?
22 A. Yes, yes.
23 Q. So we just copied the first page of the 2005
24 PDR and we've copied the sections on Avandamet and on
25 Avandia. So if you turn to -- it's about in the middle

Page 15

1 here. We'll look at the section on Avandia. It's
2 actually on page 1438. Avandia is down at the bottom
3 here. Do you see that?
4 A. Yes.
5 Q. And the book has several pages. It's in
6 fairly small type, but it has several pages giving you a
7 lot of information about Avandia; is that correct?
8 A. Yes.
9 Q. And if you turn over the page, there's a
10 heading. It says Clinical Studies?
11 A. Yes.
12 Q. Do you see that?
13 A. Yes.
14 Q. And then it provides some more information
15 about -- more information about the data that's been
16 found from the clinical studies. And then if you turn
17 over the page again, it's got -- on the right-hand side,
18 it says Indications and Usage. Go one more page, I
19 think.
20 A. Yes.
21 Q. You see it says -- and then it says: Avandia
22 is indicated as an adjunct to diet and exercise to
23 improve glycemic control in patients with type 2
24 diabetes mellitus.
25 A. Uh-huh. Okay.

Page 16

1 Q. What does that -- what's that mean in lay
2 terms?
3 A. I'm sorry. Say that --
4 Q. Okay. Do you see the sentence that reads
5 Avandia is indicated as an adjunct to --
6 A. Yes.
7 Q. -- diet and exercise to improve glycemic
8 control in patients with type 2 diabetes mellitus?
9 A. Yes.
10 Q. It says that, right?
11 A. Yes.
12 Q. And could you just translate that into more
13 lay language? What does it mean?
14 A. Well, it's basically -- it's a prescription
15 medication, you know, to lower the blood sugar in
16 addition to doing, you know, the diet, you know, the low
17 carb diet and, you know, the exercise.
18 Q. In order to control your blood sugar, do you
19 have to diet and exercise?
20 A. Yes. Yes, you do.
21 Q. In other words, if you don't diet and
22 exercise, is the medicine going to work?
23 A. To a certain degree.
24 Q. But not very well?
25 A. Yes.

Page 17

1 Q. So do you tell all of your patients with type
2 2 diabetes that diet and exercise is important?
3 A. Yes.
4 Q. Let's turn back, if you would, to where it
5 says Clinical Studies. It's going one page back. Do
6 you see that heading on the left?
7 A. Uh-huh.
8 Q. And then if you go down the page a little bit,
9 there's a paragraph beginning The addition of Avandia.
10 Do you see that?
11 A. Uh-huh, yes.
12 Q. And then further down, it says: In all 26-week
13 controlled trials, across the recommended dose range,
14 Avandia as monotherapy was associated with increases in
15 total cholesterol, LDL and HDL and decreases in free
16 fatty acids.
17 Do you see that?
18 A. Uh-huh.
19 Q. And then a little further on in the next
20 column, it says: The pattern of LDL and HDL changes
21 following therapy with Avandia in combination with other
22 hypoglycemic agents were generally similar to those seen
23 with Avandia in monotherapy.
24 Did I read that right?
25 A. Uh-huh.

Page 18

1 Q. So is that essentially saying that whether you
2 use Avandia by itself or you use Avandia with other
3 drugs like Metformin --
4 A. Uh-huh.
5 Q. -- there's some increase --
6 A. Yes.
7 Q. -- in LDL cholesterol and in HDL cholesterol?
8 A. Yes.
9 MR. DICKENS: Object to the form.
10 Q. (By Mr. Vale) Is that correct?
11 A. Yes.
12 Q. And do you see there's a table that says Table
13 2 up here?
14 A. Uh-huh.
15 Q. And it says -- it's headed up Summary of Mean
16 Lipid Changes in 26-Week Placebo-Controlled and 52-Week
17 Gliburide-Controlled Monotherapy Studies?
18 A. Uh-huh.
19 Q. And, again, in lay terms, does that mean that
20 it's providing you as the prescribing doctor with some
21 information about the increases in cholesterol for
22 patients that were in the various trials that the
23 manufacturer had conducted?
24 A. Yes.
25 Q. And do you see -- just to take one example, in

Page 19

1 the placebo-controlled trials -- and that means where
2 they're comparing Avandia to a dummy pill -- for
3 example, with Avandia at four milligrams a day, the
4 patients that took the placebo had an increase in LDL of
5 4.8 percent and the patients that took Avandia had an
6 increase of 14 percent?
7 A. Uh-huh.
8 Q. So this was the information that was available
9 to you and other doctors prescribing Avandia?
10 A. Yes.
11 MR. DICKENS: Object to the form.
12 Q. (By Mr. Vale) All right. Let's -- let me ask
13 you a question about sales representatives. You're
14 familiar with the fact that drug manufacturers have
15 sales representatives that call on doctors?
16 A. Yes, yes.
17 Q. Do they come and call on you today?
18 A. Today?
19 Q. Yes.
20 A. Well, I did not go to work today. I mean --
21 Q. I don't mean -- I'm not -- I don't mean
22 literally Wednesday today, but at the current time, in
23 the year 2009.
24 A. Yes, yes.
25 Q. You just have to speak a little more clearly.

Page 20

1 A. Yes.
2 Q. So you see drug representatives from several
3 different companies?
4 A. Uh-huh.
5 Q. They bring you samples?
6 A. Yes.
7 Q. They talk about new information on their
8 drugs?
9 MR. DICKENS: Object to the form.
10 Q. (By Mr. Vale) Is that fair?
11 A. Yes.
12 Q. And did you use to see drug representatives
13 when you were a resident back in Pittsburgh?
14 A. Yes.
15 Q. And I take it you see drug representatives for
16 diabetes drugs?
17 A. Yes.
18 Q. So, for example, representatives for Actos and
19 Avandia have called on you from time to time?
20 A. Yes, yes.
21 Q. And in general terms, do the representatives
22 tend to point out the advantages of their drugs and
23 maybe point to disadvantages of competitor drugs?
24 MR. DICKENS: Object to the form.
25 A. Yes.

Page 21

1 Q. (By Mr. Vale) So, for example, the Avandia
2 representative would talk about his or her drug and talk
3 up -- talk it up?
4 A. Right.
5 Q. And similarly, the representative for Actos
6 would talk up the Actos medicine and --
7 A. Yes.
8 Q. -- maybe point out some disadvantages or maybe
9 point out why Actos would be better than Avandia, for
10 example?
11 A. Yes.
12 Q. So you get that kind of information?
13 A. (Witness nods head up and down.)
14 Q. In the year 2007, do you remember some
15 allegations being made about Avandia?
16 A. Yes.
17 Q. Trying to link up Avandia to heart attacks?
18 A. Yes.
19 Q. Did you make any evaluation yourself of the
20 merit of these allegations?
21 A. Yes.
22 Q. And what evaluation did you make?
23 A. Just, you know, going online and reading
24 about, you know, what they came up with, you know,
25 making some research.

Page 22

1 Q. So you did some reading of your own?
2 A. Yes. Plus, I had a few drug reps, you know,
3 that came over and they talked about, you know, what's
4 going on.
5 Q. About the allegations about Avandia?
6 A. Yes, uh-huh.
7 Q. And did you reach any judgment or conclusion
8 of your own about the safety of Avandia?
9 A. Yes.
10 Q. And what conclusion did you reach?
11 A. Not to prescribe -- I kept prescribing
12 Avandia, but not to give it to someone who has heart
13 disease, congestive heart failure or heart attack.
14 Q. So have you read -- are you aware that in 2009
15 there were the results of a study called RECORD
16 published?
17 A. I don't know anything about the study.
18 Q. Okay.
19 A. In 2009?
20 Q. In 2009, there was a study published in the
21 Lancet and the study was called the RECORD study. It
22 was a prospective randomized trial of Avandia and
23 Metformin and sulfonylurea drugs.
24 MR. DICKENS: Object to the form.
25 Q. (By Mr. Vale) You're not familiar with that?

Page 23

1 A. No, I'm not.
2 Q. When you say you've looked at some of the
3 evidence, have you looked at anything since 2007
4 relating to Avandia?
5 A. Well, reading online, you know, here and
6 there, you know, listening to drug reps. You know,
7 that's about it.
8 Q. Right.
9 A. I guess.
10 Q. When you've prescribed Avandia, have you been
11 aware of the fluid retention issues?
12 A. Yes.
13 Q. And that's the same with Actos?
14 MR. DICKENS: Object to the form.
15 A. No.
16 Q. (By Mr. Vale) Well, are you aware that both
17 Actos and Avandia have a warning about the potential for
18 fluid retention and that that could lead to or
19 exacerbate congestive heart failure?
20 A. Yes, yes.
21 Q. So you take that into account?
22 A. Yes.
23 Q. And you've done that since you first
24 prescribed Avandia?
25 A. Yes.

Page 24

1 Q. And I take it nothing that you've read about
2 Avandia or these allegations about Avandia has caused
3 you to think that Avandia is not a safe medicine for
4 patients because you have eight or ten patients on
5 Avandia today?
6 MR. DICKENS: Object to the form.
7 Q. (By Mr. Vale) Is that right?
8 A. Yes.
9 Q. I mean, if you didn't think it was safe, you
10 wouldn't have the eight or ten patients on Avandia?
11 A. Yes.
12 Q. So, I mean, knowing -- well, let's -- let me
13 ask you this. For patients with type 2 diabetes, do you
14 have a goal for their blood sugar levels and their
15 cholesterol levels?
16 A. Yes.
17 Q. Is the goal today different from what it was
18 in 2005 when you saw Mr. Self?
19 A. On the blood sugar level?
20 Q. Well, let's break it down. On the blood sugar
21 level, what is your goal for patients today who have
22 type 2 diabetes?
23 A. 110 or below.
24 Q. 110 or below?
25 A. Yes.

Page 25

1 Q. That's a fasting test?
2 A. Yes.
3 Q. And what about for their hemoglobin A1c?
4 A. Again, it depends on the reference lab.
5 Below 7, in most labs.
6 Q. All right. Is that the goal that you had in
7 2005?
8 A. I guess so, yes.
9 Q. What about for cholesterol levels? What is
10 your goal today for patients with type 2 diabetes?
11 A. Their total cholesterol?
12 Q. Well, total or you can break it -- either
13 total cholesterol or LDL, HDL or the ratio.
14 A. Below 160.
15 Q. Below 160 for total cholesterol?
16 A. Yes.
17 Q. And what -- do you have separate goals for LDL
18 and HDL?
19 A. I would like for it to be as low as possible,
20 but again, it depends on the referene lab and --
21 Q. Well, can I ask it this way, Dr. Faour? What
22 level would you generally prescribe a statin to bring
23 down LDL levels today to a type 2 diabetic patient?
24 A. Like if I get someone, you know, with a total
25 cholesterol of 200, I initially try diet by itself.

Page 26

1 Q. Right.
2 A. You know, if that's not going to work, after
3 three, four months, you know, then we try medicine, you
4 know. It depends on his risk factors, but usually if
5 he's got a total cholesterol of 200 and did not respond
6 to diet, I would start him on a low dose of statin
7 therapy.
8 Q. Have your goals for patients with type 2
9 diabetes changed or did they -- I'm sorry. Let me
10 re-ask that. Have these goals for blood sugar levels
11 and cholesterol levels for your patients with type 2
12 diabetes changed since 2005?
13 A. Yes, I think so.
14 Q. In other words, do you treat the patients more
15 aggressively --
16 A. Yes.
17 Q. -- today than you did --
18 A. Yes.
19 Q. -- back even four years ago?
20 A. Yes.
21 Q. So, for example, in the case of Mr. Self, you
22 might have treated him more aggressively today --
23 A. More today, exactly, --
24 Q. -- than --
25 A. -- than five years ago, yes.

Page 27

1 Q. And that's simply because knowledge has
2 increased about the benefits of reducing blood sugar?
3 A. Uh-huh, yes.
4 Q. And the benefits of reducing cholesterol
5 levels?
6 A. Yes.
7 Q. I guess -- does the same go for blood
8 pressure?
9 A. Yes.
10 Q. Do you have a goal for blood pressure levels
11 for patients with type 2 diabetes?
12 A. Systolic, below 130.
13 Q. And diastolic?
14 A. I would say, an average, 120/70.
15 Q. So you're looking for them to get to 120/70
16 and if it's consistently above that today, you might
17 prescribe an antihypertensive medicine?
18 A. If it's 130, I -- usually not. I push for
19 exercise, for diet. Weight loss usually, you know.
20 (Documents marked as Exhibit Number 1.)
21 Q. All right. Let's talk about Mr. Self. Do you
22 have the records in front of you?
23 A. Yes.
24 Q. Why don't you -- we are marking them as
25 Exhibit 1 for the deposition, so I'll just make sure

Page 28

1 that you have those there in front of you, as well.
2 A. Okay.
3 Q. Looking at the records, does it appear that
4 Mr. Self was a new patient for your practice in
5 September 2005?
6 A. Yes.
7 Q. And did you see him on that day?
8 A. Yes.
9 Q. And was he seen by a nurse practitioner, as
10 well?
11 A. No.
12 Q. Just by you. And are the notes of the
13 physical examination in your handwriting?
14 A. Yes.
15 Q. All right. Let's go over that. How old was
16 Mr. Self?
17 A. 39.
18 Q. And his blood pressure was 120/82?
19 A. Yes.
20 Q. So that was acceptable?
21 A. Yes.
22 Q. And his weight was 188 1/2?
23 A. Yes.
24 Q. Do you remember what his height was or if that
25 was acceptable?

Page 29

1 A. No. No, I don't remember.
2 Q. Did he tell you that he had diabetes?
3 A. Yes.
4 Q. And what did he tell you about that?
5 A. He was taking medicine for diabetes. They
6 were not working for him.
7 Q. What were the medicines that he had been
8 taking?
9 A. He was taking Metformin at that time.
10 Q. If you'd go to page 5...
11 A. Glyburide. Glyburide, Metformin and Actos is
12 the three different medicines.
13 Q. So he said he had been taking them, but --
14 A. Yes.
15 Q. -- had he stopped taking them?
16 A. Yes.
17 Q. And what were his blood sugar levels running
18 at?
19 A. When I saw him at -- that day, he claimed that
20 they were running very high, I mean, about 500s or even
21 higher.
22 Q. And that's way high, right?
23 A. Yes. I wrote here 200 to 500 in my note.
24 Yes, that's very high.
25 Q. Could you go over what else he told you when

Page 30

1 you saw him on September the 8th, 2005?
2 A. Well, I said here he did not take his
3 medications in a few weeks; he's tired of taking the
4 medicines because they're not helping his diabetes.
5 Q. And that's a reference to Actos, Glyburide and
6 Metformin?
7 MR. DICKENS: Object to the form.
8 Q. (By Mr. Vale) Is that right?
9 A. Yes.
10 Q. And what symptoms did he have?
11 A. He had the frequent urination, you know,
12 thirst, fatigue, dry mouth, blurred vision, dizziness.
13 Q. What's that?
14 A. Dizziness.
15 Q. Dizziness, okay. I mean, how serious did you
16 -- if -- how serious was the situation that he was in?
17 MR. DICKENS: Object to the form.
18 A. Well, having a blood sugar of 500, that's very
19 serious.
20 Q. (By Mr. Vale) Okay. So what was your plan?
21 Did he get some blood work done?
22 A. Yes. I -- on that day, I think so, yes.
23 Q. If you turn to page -- it's down on the
24 bottom, page 11. I think you'll see some results from
25 blood work.

Page 31

1 A. Yes, yeah.
2 Q. So you sent him over to the hospital to get
3 some blood drawn and have it analyzed immediately?
4 A. Yes.
5 Q. And let's take a look at that. What were his
6 triglycerides?
7 A. 940.
8 Q. And what are triglycerides?
9 A. It's a part of the lipids circulating in the
10 blood.
11 Q. So a type of fat in the blood?
12 A. Yes.
13 Q. And is 960 high?
14 A. That's very high.
15 Q. And what was his total cholesterol?
16 A. 318. I can't read it. Either 318 or 328.
17 Q. Well, whether it's 318 or 328, is that very
18 high?
19 A. Yes.
20 Q. And what was his hemoglobin A1c?
21 A. 12.8.
22 Q. And is that very high?
23 A. Yes.
24 Q. What does a hemoglobin A1c of 12.8 tell you
25 about the control of diabetes?

Page 32

1 A. Not controlled at all.
2 Q. Based on these results and your evaluation of
3 Mr. Self, what advice did you give him or what was your
4 plan for him?
5 A. Give him new medication for his diabetes since
6 --
7 Q. And what medicine --
8 A. -- he said he's trying three different
9 medications and they're not helping him, so...
10 Q. So what did you put him on?
11 A. On the Avandia.
12 Q. If you take a look, I think you prescribed
13 Avandamet?
14 A. Avandamet, yes.
15 Q. All right. What is Avandamet?
16 A. It's a combination of Avandia and Metformin.
17 Q. So essentially, you prescribed two drugs for
18 him?
19 A. Yes.
20 Q. It's a combination drug?
21 A. Yes.
22 Q. Why did you think that the combination drug
23 might be better than either Avandia or Metformin alone?
24 A. What's the benefit of combination --
25 Q. Yeah. In other words, you -- presumably, you

Page 33

1 could have prescribed only Avandia or you could have
 2 prescribed only Metformin, but you made a decision to
 3 use the combination tablet, and I'm wondering why.
 4 A. Well, Metformin by itself was not controlling
 5 his diabetes, because he was already taking that.
 6 Avandia is a new medication to him, okay, so he never
 7 tried that medicine -- to my knowledge, he never tried
 8 that medicine before, and taking the two medications
 9 together might cause a synergistic effect and bring the
 10 blood sugar more ...
 11 Q. Okay. And what does synergistic mean?
 12 A. It means the two drugs -- if you give Avandia
 13 by itself, it brings the blood sugar to a certain limit.
 14 Giving Metformin by itself brings it to another certain
 15 limit. The combination will work together and, you
 16 know, bring the blood sugar down more than either one of
 17 them.
 18 Q. Okay. Did you give Mr. Self any advice about
 19 diet?
 20 A. Yes.
 21 Q. And what did you tell him about that?
 22 A. About the diabetes diet, you know, a limited
 23 carb diet.
 24 Q. Did you bring home to him that just taking the
 25 medicines alone wouldn't do it?

Page 34

1 MR. DICKENS: Object to the form.
 2 A. I'm sorry. What's that?
 3 Q. (By Mr. Vale) Did you make it clear to him
 4 that diet was an important part of --
 5 A. Yes, and I documented that.
 6 Q. And how did you document it?
 7 A. I put here strict ADA diet.
 8 Q. And what does ADA mean?
 9 A. You know, you limit the carbohydrates in the
 10 food. Usually, I give the patients a small booklet we
 11 get from the drug reps about the ADA diet. I can't tell
 12 you for sure if I gave him that or not because I've not
 13 seen that here in the documentation and I never document
 14 that. I give, you know, the patient, you know, the
 15 small book, but usually, this is what I do.
 16 Q. Did you have any other recommendations for Joe
 17 Self on September 8, 2005?
 18 A. I asked him to monitor his blood sugar and,
 19 you know, to check his blood test, his diet, start the
 20 new medication and follow up with an eye doctor.
 21 Q. Why did you suggest that he go to an eye
 22 doctor?
 23 A. Because he's got uncontrolled diabetes and he
 24 was complaining about the blurred vision, so he needed
 25 to have his eyes evaluated for diabetic neuropathy or

Page 35

1 retinopathy.
 2 Q. Okay. Again, could you sort of turn that into
 3 laymen's language? He said he had blurred vision. Did
 4 you connect that up to the diabetes?
 5 A. Yes, yes.
 6 Q. And --
 7 A. To make sure he did not have, you know -- his
 8 eye problems from the diabetes, you know.
 9 Q. Did you ask him to come back and see you again
 10 in a week or two?
 11 A. Yes.
 12 Q. And is that common practice for when you first
 13 --
 14 A. Yes.
 15 Q. -- see a patient with type 2 diabetes?
 16 A. Yes. We put them on new medication and have
 17 them monitor their blood sugar and bring the numbers in
 18 in a week or two weeks.
 19 Q. All right. So did he come back and see you a
 20 week later? If you look, I think there's a note there
 21 from September the 15th, 2005.
 22 A. Yes.
 23 Q. And did you see him on that day?
 24 A. Yes.
 25 Q. Did you have any new blood work at that point?

Page 36

1 A. I did not order new blood work, no.
 2 Q. Did he give you any report on how his blood
 3 sugar was doing?
 4 A. Yes.
 5 Q. And what did he tell you?
 6 A. He said they are much better, running between
 7 100 to 140.
 8 Q. Is that fasting?
 9 A. Fasting, yes.
 10 Q. Okay. So that's -- is that okay?
 11 MR. DICKENS: Object to the form.
 12 A. Well, for a week after therapy, yes, that's
 13 very good.
 14 Q. (By Mr. Vale) So were you happy with how he
 15 was doing a week later?
 16 A. Yes.
 17 Q. And what about the symptoms that he'd
 18 described the week before? Were they still present?
 19 A. Most of them, they'd gone.
 20 Q. And which were the ones that had gone?
 21 A. The polyuria, polydipsia, the fatigue --
 22 Q. Wait. Just -- let's -- polyuria, polydipsia
 23 means too thirsty, too many visits to the bathroom?
 24 A. Yes, yes.
 25 Q. That had gone away?

Page 37

1 A. Yes. The fatigue went away and -- oh, well,
 2 he continued to have the blurred vision.
 3 Q. That was continuing?
 4 A. Uh-huh.
 5 Q. What was your plan for Joe Self on September
 6 15th, 2005?
 7 A. To continue taking the medicine twice a day.
 8 Q. And the medicine, we're talking about
 9 Avandamet, right?
 10 A. Yes, Avandamet, yes, twice a day. To continue
 11 that diet I recommended before, plus do the low-fat diet
 12 because his cholesterol came back high, and to quit
 13 smoking, exercise and to follow up with an eye doctor.
 14 Q. Is -- why did you advise him to quit smoking?
 15 A. Well, just to minimize the risk of the
 16 coronary artery disease.
 17 Q. I'm sorry. It's a risk for coronary artery
 18 disease?
 19 A. Yes.
 20 Q. And diabetes itself is a risk for coronary
 21 artery disease?
 22 A. That's right, yes.
 23 Q. And what did you tell him about his eyes?
 24 A. To follow up with the eye doctor.
 25 Q. So to summarize that, you said continue the

Page 38

1 Avandamet, you've got to have a low-fat American
 2 Diabetes Association diet, you need to quit smoking, you
 3 need to exercise --
 4 A. Uh-huh.
 5 Q. -- and you need to see the eye doctor?
 6 A. Uh-huh.
 7 Q. Do you have any recollection of seeing
 8 Mr. Self after September 15, 2005?
 9 A. After September 15?
 10 Q. Yes.
 11 A. No, I don't remember.
 12 Q. He did -- I mean, based on the records, it
 13 looks like he came in and saw your partner, Dr. Haggag?
 14 A. Yes.
 15 Q. And when -- based on the records, at any rate,
 16 when did he see Dr. Haggag? Was that in March of 2006?
 17 A. Yes.
 18 Q. Based on your seeing Mr. Self on September the
 19 8th and September the 15th, 2005 and knowing what you
 20 know today, are you comfortable with your decision to
 21 have prescribed Avandamet?
 22 MR. DICKENS: Object to the form.
 23 A. I'm sorry? I was comfortable prescribing to
 24 him?
 25 Q. (By Mr. Vale) Yeah. Based on what you see

Page 39

1 here and knowing what you know today, do you feel that
 2 was the right decision?
 3 MR. DICKENS: Same objection.
 4 A. Yes, yes.
 5 Q. (By Mr. Vale) And why do you say it was the
 6 right decision to prescribe Avandamet?
 7 A. Because he was already trying the three
 8 different medications for diabetes at that time and they
 9 did not control his blood sugar.
 10 Q. And did the Avandamet seem to work?
 11 A. Yes.
 12 Q. So you'd do the same again today?
 13 MR. DICKENS: Object to the form.
 14 A. Yes.
 15 MR. VALE: Thank you. No further questions.
 16 I appreciate it.
 17 MR. DICKENS: Okay. Doctor, I will have some
 18 follow-up questions for you.
 19 THE WITNESS: Okay.
 20 CROSS-EXAMINATION
 21 BY MR. DICKENS:
 22 Q. Much like counsel for GSK said, if I ask a
 23 question that's not clear to you, just please ask me to
 24 rephrase that question.
 25 A. Okay.

Page 40

1 Q. Doctor, just for preliminary reasons, have you
 2 ever been a speaker for GlaxoSmithKline?
 3 A. Never.
 4 Q. Have you ever been paid in any way by
 5 GlaxoSmithKline?
 6 A. Never.
 7 Q. Prior to today's deposition --
 8 A. Paid? I'm sorry. Paid in -- what money? You
 9 mean --
 10 Q. In any format. As a speaker, as a consultant
 11 for GlaxoSmithKline.
 12 A. No, no. Because when we were in residency, we
 13 used to do a conference, like teleconferences and -- you
 14 know, to listen about medication and they will send us
 15 a check, like a \$100 check, you know, at the end of the
 16 conference. That's it.
 17 Q. Did you ever have any of those conferences,
 18 whether teleconference or going to an actual conference,
 19 with respect to Avandia?
 20 A. No.
 21 Q. Okay. Doctor, prior to your deposition today,
 22 did you speak with anyone at GlaxoSmithKline about this
 23 deposition?
 24 A. Only with Mr. Vale and Pete.
 25 MR. VALE: Pete, just to set up the

Page 41

1 deposition.
2 Q. (By Mr. Dickens) What was the substance of
3 that conversation?
4 A. Well, you know, setting up the time and date
5 and I asked them I need the medical record before the
6 date. That's all.
7 Q. Did you ever tell anyone at GlaxoSmithKline
8 that you had a family member on Avandia?
9 A. Did I tell him? Yes, I did.
10 Q. You told who?
11 A. I told Mr. Vale.
12 Q. When did you tell Mr. Vale that?
13 A. When I talked -- I spoke with him only one
14 time.
15 Q. Okay. So you spoke to Mr. Vale about setting
16 up this deposition, about you having a family member on
17 Avandia. What else did you speak to Mr. Vale about?
18 A. That's it.
19 Q. Were you asked any questions about your use of
20 Avandia?
21 A. No. He did not ask me. I volunteered to say,
22 you know, that my uncle, he is still taking Avandia.
23 Q. Did you volunteer any other information?
24 A. No.
25 Q. Okay. Did you speak to Mr. Vale personally

Page 42

1 about setting up the deposition?
2 A. I think so, yes.
3 Q. And you also said a Peter from their office?
4 A. Yes.
5 Q. Were you personally in charge of setting up
6 this deposition?
7 A. No.
8 Q. Did you have a staff member handle that?
9 A. Oh, you mean from my side?
10 Q. Yes.
11 A. You know, it was me, yeah.
12 Q. Okay. And as far as you sit here today, you
13 can't recall any other information that you volunteered
14 about your prescribing Avandia or treatment of Joe Self?
15 MR. VALE: Objection. There's no evidence we
16 even mentioned Mr. Self.
17 A. I prescribed Avandia and I had my uncle --
18 he's still taking Avandia.
19 Q. (By Mr. Dickens) And that's all that you --
20 A. That's all I said, yes.
21 Q. Okay. Doctor, as far as you know, the only
22 medication for Mr. Self's diabetes that you prescribed
23 was Avandamet; is that correct?
24 A. Yes.
25 Q. You didn't try any other medications for

Page 43

1 Mr. Self?
2 A. I don't remember. I mean, from my record, it
3 sounds like, you know, only Avandamet unless, you know,
4 there's something we did not document. You know, a lot
5 of time, you know, we do medication over the phone.
6 You know, we call it in. So just looking at the
7 document here, you know, Avandamet seems to be the only
8 medication I gave him.
9 Q. Now, Doctor, you indicated that Mr. Self had
10 been on previous medications prior to his initial visit
11 to you; is that correct?
12 A. Yes.
13 Q. Did you ever see any of the medical --
14 previous medical records for Mr. Self on your initial
15 visit or on later visits?
16 A. No.
17 Q. So you don't know what Mr. Self's blood sugar
18 was while he was on these other medications?
19 A. Only by what he told me.
20 Q. Okay. But you personally have no knowledge of
21 what his blood sugars were on these other medications?
22 A. Not on paper documents. Just, you know, by
23 him saying.
24 Q. Okay. When he came to you on September 8th,
25 2005, he had not been taking any medication at all; is

Page 44

1 that correct?
2 A. Yes.
3 Q. And he had been off of all medications for
4 some time?
5 A. Yes.
6 Q. Doctor, in 2005, there were numerous
7 medications to treat diabetes; is that correct?
8 A. Yes.
9 Q. Do you have any estimate how many medications
10 there were?
11 A. Well, there was Actos, Metformin, Avandia,
12 Glyburide, the Glipizide, the insulin, plus the
13 combination medications. Those were the most commonly
14 prescribed at that time. There was Starlix too.
15 Q. So it's fair to say there were numerous
16 medications that you could choose from in order to treat
17 diabetes?
18 A. Yes.
19 Q. And in making a decision on which medication
20 to treat, you, as a physician, would weigh the risks and
21 benefits of those drugs?
22 A. Right.
23 Q. And you would try to choose the safest drug
24 that would also be effective for a patient?
25 A. Yes.

Page 45

1 Q. So if there were two drugs that were equally
2 effective for treating diabetes, you would choose the
3 safer drug?
4 A. That's right.
5 Q. Now, Doctor, where did you derive your
6 information about risks of a particular medication?
7 A. From reading the inserts, from the PDR, from,
8 you know, the drug reps.
9 Q. Okay. Now, you say the inserts. Can you
10 describe what you mean by that?
11 A. Like, you know, you get the samples. You
12 know, it's got the inserts here for the patients in
13 it and we go over those. We go over many in the PDR
14 or on the Hippocrates.
15 Q. You just mentioned Hippocrates. Can you
16 explain what that is?
17 A. It's -- well, I have it on my PDA. It's a
18 program just like the PDR. It tells you about the
19 dosages, the side effects, you know, the benefits,
20 you know, prescribing information.
21 Q. Does Hippocrates include all of the
22 information that's in the PDR?
23 A. No.
24 Q. It only includes some information?
25 A. Exactly.

Page 46

1 Q. And what is that information?
2 A. Like they tell you, you know, the prescribing
3 information, the major side effects --
4 Q. Does it provide all --
5 A. -- contraindications, black box warning, you
6 know.
7 Q. So is it fair to say it provides you the major
8 side effects and major warnings of a drug?
9 A. Uh-huh.
10 Q. Does it provide all information about all
11 adverse reactions you can have on the drug?
12 A. No.
13 Q. Now, was this Hippocrates available to you in
14 2005?
15 A. I believe so.
16 Q. Were you using it in 2005?
17 A. I think so.
18 Q. When you needed to receive information about a
19 particular medication, would you use Hippocrates or
20 would you go to the actual hard copy of your PDR?
21 A. Both.
22 Q. Would you use one more so than the other?
23 A. Well, this is more handy to me, you know.
24 While I'm in a patient's room, you know, I can click and
25 look for the medication.

Page 47

1 Q. And, Doctor, I presume that you treat various
2 diseases --
3 A. Uh-huh.
4 Q. -- including diabetes?
5 A. (Witness nods head up and down.)
6 Q. In your practice back in 2005, what were some
7 of the other diseases that you were treating?
8 A. You know, internal medicine disease of all
9 kinds, you know.
10 Q. So there were many?
11 A. Yes.
12 Q. And there were medications you could prescribe
13 for all of these?
14 A. Yes.
15 Q. And you treated people with high cholesterol?
16 A. Yes.
17 Q. And there's medications called statins?
18 A. Yes.
19 Q. And those are used to treat high cholesterol?
20 A. Yes.
21 Q. And you would treat high blood pressure?
22 A. Yes.
23 Q. And there's medications for high blood
24 pressure?
25 A. Yes.

Page 48

1 Q. So it's fair to say you were prescribing a lot
2 of medications in 2005?
3 A. Right, right.
4 Q. Now, for all of those medications, do you read
5 everything in the prescribing information, everything in
6 the Physicians' Desk Reference?
7 A. No.
8 Q. You don't read everything about every
9 particular drug?
10 A. No.
11 Q. You wouldn't reasonably have time to do that?
12 A. Exactly.
13 Q. So, Doctor, there's key information that you
14 would use in the PDR to read up about these medications?
15 A. Right.
16 Q. What are those?
17 MR. VALE: Objection.
18 A. Like, you know, what -- you meant when do I go
19 to the PDR to look for...
20 Q. (By Mr. Dickens) And it wasn't a good
21 question at all. When you are learning about a
22 particular medication and you're going to read the
23 pertinent information from the Physicians' Desk
24 Reference, what particular areas included in the
25 Physicians' Desk Reference do you read?

Page 49

1 MR. VALE: Objection.
 2 A. Indications for treatment, plus the side
 3 effects, the dosage for them. That's the main things
 4 that I look for.
 5 Q. (By Mr. Dickens) So those are the main
 6 things, and also, you read up about information of side
 7 effects?
 8 A. Yes.
 9 Q. And those are in the warnings?
 10 A. Yes.
 11 Q. But regardless, it's fair to say that you
 12 don't read for each medication from top to bottom
 13 everything in the Physicians' --
 14 MR. VALE: Objection.
 15 A. No, I don't.
 16 Q. (By Mr. Dickens) So you wouldn't know every
 17 piece of information that's included in the Physicians'
 18 Desk Reference about any particular medication; is that
 19 correct?
 20 A. No.
 21 Q. Now, Doctor, you indicated the insert. You
 22 read that to get information about a particular drug.
 23 Is that also known as prescribing information?
 24 A. Yes.
 25 Q. And would you agree that the information

Page 50

1 contained in that prescribing information is provided by
 2 the manufacturer of the drug?
 3 A. Yes.
 4 Q. So if you're going to be referring to the
 5 prescribing information for side effects, you're relying
 6 on the truthfulness and accuracy of that information as
 7 provided by the manufacturer?
 8 A. Yes.
 9 Q. So if the manufacturer doesn't provide
 10 truthful or accurate information, you can't reasonably
 11 undergo a risk-benefit analysis. Would you agree with
 12 that?
 13 MR. VALE: Objection.
 14 A. Yes.
 15 Q. (By Mr. Dickens) Now, Doctor, going back to
 16 the September 8th, '05 visit, which was your initial
 17 visit with Mr. Self, he had a blood pressure of 120/82?
 18 A. Yes.
 19 Q. Is that in the normal range for blood
 20 pressure?
 21 A. The 120 is. The 82, I mean, I would not treat
 22 that with medication. You know, I would prefer to bring
 23 it down a little bit more.
 24 Q. Okay. And I'll also turn to the blood tests
 25 that you ran on that date. In the -- I believe you

Page 51

1 were at that time working at the Giles Family
 2 Practice; is that correct?
 3 A. Yes.
 4 Q. Would you run your own blood tests at that
 5 time or would you send patients out to have it done
 6 elsewhere?
 7 A. In the hospital.
 8 Q. Okay. So then this blood test which is on
 9 page 11, it was taken the same day as that initial
 10 visit?
 11 A. Yes.
 12 Q. And at that time, we've already indicated
 13 Mr. Self has a glucose of 182; is that correct?
 14 A. On that --
 15 Q. And I'll refer you to page 11.
 16 A. Yes.
 17 Q. And a triglycerides of 960?
 18 A. Yes.
 19 Q. And cholesterol of 318?
 20 A. Yes.
 21 Q. And an A1c of 12.8?
 22 A. Yes.
 23 Q. And those are all high numbers?
 24 A. Yes.
 25 Q. And at this point, Mr. Self was not on

Page 52

1 medication to control his diabetes?
 2 A. Yes.
 3 Q. Now, Doctor, on your second visit --
 4 A. Now, I'm not sure if this was fasting or not
 5 fasting, the blood tests, you know -- but -- you know,
 6 those numbers. I don't know if we documented or the lab
 7 documented if that was a fasting sample or not.
 8 Q. Doctor, generally when the laboratory takes a
 9 fasting draw, do they indicate whether it was fasting or
 10 not on the report?
 11 A. Some of them, they do.
 12 Q. Now, a nonfasting -- if it was a nonfasting
 13 draw, that would affect the glucose, triglycerides and
 14 cholesterol levels, correct?
 15 A. Right.
 16 Q. They would be higher if it was a nonfasting
 17 draw?
 18 A. Yes.
 19 Q. You would expect them to be higher?
 20 A. Yes.
 21 Q. So, for example, had Mr. Self eaten a couple
 22 doughnuts prior to taking his blood, those numbers
 23 would be expected to be higher?
 24 A. Yes.
 25 MR. VALE: I'm sorry. Did you include A1c in

Page 53

1 that?
2 THE WITNESS: A1c has nothing to do with
3 fasting.
4 MR. DICKENS: Thanks, Tony.
5 MR. VALE: No. I didn't hear the question
6 quite -- that could have been some --
7 MR. DICKENS: No, that's fair.
8 Q. (By Mr. Dickens) So the A1c, is it fair to
9 say that that number would tell you, as a physician, a
10 glucose range over roughly three months? Is that fair
11 to say?
12 A. Yes.
13 Q. So his A1c at 12.8 indicates that his diabetes
14 was uncontrolled?
15 A. Yes.
16 Q. Regardless of whether --
17 A. It was very, very uncontrolled, yes.
18 Q. Regardless of, you know, whether the other
19 numbers were high or not?
20 A. Right.
21 Q. Now, Doctor, you indicated on your second
22 visit you didn't have any blood drawn for Mr. Self at
23 that time?
24 A. Yes.
25 Q. But it does appear that Mr. Self had his blood

Page 54

1 taken by Dr. Haggag on the next visit, which I believe
2 is March 29, 2006?
3 A. Yes.
4 Q. I'll refer you to page 7.
5 A. Yes.
6 Q. From this record, do you have any indication
7 of whether this was a fasting draw or not?
8 A. Well, I can't tell you. I wasn't there at
9 that time so...
10 Q. Now, Doctor, from your review of this
11 collection --
12 A. Yeah.
13 Q. -- Mr. Self had a glucose of 119; is that
14 correct?
15 A. Yes.
16 Q. Was that within your range of -- your goal
17 range for a patient with type 2 diabetes?
18 A. It's acceptable, yes.
19 Q. And his triglycerides at this point were 463?
20 A. Yes.
21 Q. And that is --
22 A. High.
23 Q. It's still high?
24 A. Yes. And as I said, I don't know if this was
25 fasting or not fasting.

Page 55

1 Q. Now, his blood tests that you took on
2 September 8th, '05 had triglycerides of 900?
3 A. Yes.
4 Q. So 400, while still high, is still --
5 A. Yes.
6 Q. It's a decrease from that previous visit?
7 A. Oh, yes.
8 Q. Now, his cholesterol, Doctor, is 328?
9 A. Right.
10 Q. You would agree that that is an actual
11 increase from his visit on September 8th, 2009?
12 MR. VALE: Objection.
13 A. Not real -- I mean, it's increased but not a
14 significant increase to me.
15 Q. (By Mr. Dickens) Okay. Now, Doctor, at this
16 point in time, Mr. Self had been taking a medication to
17 control his diabetes, correct?
18 MR. VALE: Objection.
19 A. I'm sorry. What's that, again?
20 Q. (By Mr. Dickens) March 29th, '06 --
21 A. Yeah.
22 Q. -- Mr. Self had been prescribed by you
23 Avandamet for approximately six months; is that correct?
24 A. I can't tell you because I left the practice,
25 if I remember, in December that year, yeah. I had to

Page 56

1 quit that practice and move to a different practice, so
2 I'm not sure what happened in between. I left, I think,
3 in December of 2005.
4 Q. So you, as far as you can recall, left the
5 practice in December '05?
6 A. Yes.
7 Q. When you worked at the Giles Family Practice,
8 Dr. Haggag, was he also a physician there?
9 A. He was the owner.
10 Q. He was the owner?
11 A. Yeah.
12 Q. So you were his employee?
13 A. Yes.
14 Q. Is there any particular reason you left Giles
15 Family Practice?
16 A. Several reasons. Do I have to mention...
17 Q. Oh, no. I'm not necessarily asking.
18 A. Yeah, several reasons.
19 Q. Okay.
20 A. Mainly financial stuff, yeah.
21 Q. Okay. Now, Doctor, if you have a patient who
22 presents to you with uncontrolled diabetes and they
23 begin taking medications, undergo diet and exercise,
24 would you expect their glucose levels to fall?
25 A. If they've taken their medications?

Page 57

1 Q. Yes.
2 A. Yes.
3 Q. And you would expect their A1c levels to fall?
4 A. Yes.
5 Q. Would you also expect their cholesterol levels
6 to be improved?
7 A. If they're doing the diet and taking the
8 medicine, then yes.
9 Q. So you would expect if they're dieting,
10 exercising --
11 A. And exercising.
12 Q. -- taking their medication, that their
13 cholesterol level would indeed fall?
14 A. Yes.
15 Q. Doctor, in fact, you want your diabetic
16 patients to lower their cholesterol; is that correct?
17 MR. VALE: Objection.
18 A. My di' -- to lower their cholesterol?
19 Q. (By Mr. Dickens) Yes.
20 A. Yes, I do.
21 Q. And you'd also want them to lower their LDL?
22 A. Yes, I do.
23 Q. Can you describe what LDL is?
24 A. It's low-molecular lipid in the blood. It's
25 associated with a higher risk of atherosclerosis or

Page 58

1 blockages in the heart and the vessels.
2 Q. And is LDL also referred to as bad
3 cholesterol?
4 A. Yes.
5 Q. Doctor, in 2005 when you were prescribing
6 Avandamet, were you aware that Avandia was shown to
7 cause an increase in LDL?
8 MR. VALE: You mean was he like -- well,
9 objection.
10 A. In 2005, I think so.
11 Q. (By Mr. Dickens) But you don't know one way
12 or the other, as you sit here?
13 MR. VALE: Objection.
14 A. I mean, I can't tell you for sure if I was
15 aware at that time or not, but -- and, you know, at some
16 point, you know, we find out, you know, that it will
17 increase the LDL, but I'm not sure on that date, you
18 know, if we were aware that, you know, it will increase
19 the LDL or not.
20 Q. (By Mr. Dickens) Do you recall at all when
21 you found that out or how you found that out?
22 A. No.
23 Q. Doctor, you'd agree that diabetes is a serious
24 medical condition?
25 A. Yes.

Page 59

1 Q. And it is linked to macrovascular
2 complications?
3 A. Yes.
4 Q. What are some of those macrovascular
5 complications?
6 A. Heart attack, stroke, peripheral vascular
7 disease.
8 Q. So diabetes is a risk factor for heart attack?
9 A. Yes.
10 Q. And heart attack is also known as myocardial
11 infarction?
12 A. Yes.
13 Q. What are some of the other risk factors for a
14 heart attack?
15 A. High cholesterol, hypertension, smoking.
16 Q. Is family history?
17 A. Yes.
18 Q. Now, Doctor, when Mr. Self presented to you,
19 he had high cholesterol?
20 A. (Witness nods head up and down.)
21 Q. He had a family history?
22 A. Did he -- you mean, did he mention he had high
23 cholesterol when he came to me? I'm not sure if he
24 checked his family history. His personal history -- he
25 did not mention he had high cholesterol. All he

Page 60

1 mentioned -- as I said, at that time I did not have his
2 records. You know, we request the previous medical
3 records on every patient we see at the clinic, and from
4 what he mentioned in his past medical history or what he
5 said about the diabetes and the appendicitis --
6 Q. From the blood tests that you drew on
7 Mr. Self --
8 A. Yes.
9 Q. -- would you admit that those numbers
10 indicated that Mr. Self had high cholesterol?
11 A. Yes.
12 Q. And I'll refer your attention to page 6 and
13 the top of the page indicates some family history of
14 illness?
15 A. Yes.
16 Q. It appears he had a family history of heart
17 disease?
18 A. Yes.
19 Q. And Mr. Self was a smoker?
20 A. Yes.
21 Q. And he had diabetes at the time you saw him?
22 A. Yes.
23 Q. So these are all risk factors for a potential
24 heart attack?
25 A. Yes.

Page 61

1 Q. And, Doctor, you indicated earlier that you
2 learned some information fairly recently with respect to
3 a potential association with respect to Avandia and
4 heart attacks; is that correct?
5 A. Yes.
6 Q. And you went and researched that information?
7 A. Yes.
8 Q. Do you recall any specific studies that you
9 looked at with respect to that association?
10 A. No.
11 Q. Do you --
12 A. I'm not good with the study names, you know.
13 I get the conclusions, you know.
14 Q. Okay. You indicated, though, that now you
15 have altered your prescribing practices with respect to
16 prescribing Avandia?
17 A. Yes.
18 Q. You prescribe Avandia less?
19 A. Yes.
20 Q. And to less patients?
21 A. Yes.
22 Q. And you don't prescribe, you indicated, to
23 patients with congestive heart failure?
24 A. Yes. I don't.
25 Q. And you don't prescribe to patients who have

Page 62

1 had a heart attack?
2 A. Yes.
3 Q. Do you also not prescribe to patients who have
4 high risk factors for these diseases?
5 MR. VALE: Objection.
6 A. No. That's not true.
7 Q. (By Mr. Dickens) Okay. So in doing a
8 risk-benefit analysis, do you take into consideration
9 the potential association between Avandia and a heart
10 attack?
11 MR. VALE: Objection.
12 A. I mean, for someone that had a history of
13 heart problems, yes, but for someone that did not have a
14 history of heart failure or heart attack, yes, I will
15 not prescribe it, but just looking at his risk factors,
16 you know, that's not going to alter my therapy that
17 much.
18 Q. Okay. Now, Doctor, you indicated there are
19 many medications that you can prescribe?
20 A. Yes.
21 Q. Doctor, are you aware of a label change with
22 respect to Avandia that occurred in 2007?
23 A. Uh-huh.
24 Q. What is your knowledge of that label change?
25 A. About prescribing Avandia to someone who has a

Page 63

1 history of heart failure or coronary artery disease.
2 Q. Do you recall any change with respect to
3 myocardial infarction?
4 MR. VALE: Objection. I don't think it's fair
5 to ask the doctor to try to recite what's on a label
6 that you're not showing him.
7 MR. DICKENS: I'm just asking.
8 Q. (By Mr. Dickens) Do you recall anything, as
9 you sit here today?
10 MR. VALE: Objection.
11 A. Yeah, about heart disease and -- yes.
12 Q. (By Mr. Dickens) Okay. Doctor, do you
13 subscribe to any medical publications?
14 A. I used to, the American -- the New England
15 Journal of Medicine before, but not recently; UpToDate,
16 all the time.
17 Q. When was the last time that you subscribed to
18 the New England Journal of Medicine?
19 A. Several years ago. Since the UpToDate
20 came, I've been using UpToDate since that time.
21 Q. Do you recall or have any recollection of an
22 article that was published in the New England Journal of
23 Medication -- or New England Journal of Medicine
24 indicating that there was an association between Avandia
25 and an increased risk of myocardial infarction?

Page 64

1 MR. VALE: Objection. It didn't exactly say
2 that.
3 A. I mean, I remember an article, but I don't
4 remember if it was from the New England Journal of
5 Medicine or --
6 Q. (By Mr. Dickens) Do you remember --
7 A. -- the American Heart Association or -- I
8 don't remember a source, but I remember that there was a
9 study about it.
10 Q. Do you recall a study published or authored by
11 a Steve Nissen?
12 A. I'm not good with names, so...
13 Q. Doctor, would you agree that one of your main
14 goals in treating diabetic patients is to reduce their
15 risk for macrovascular complications?
16 A. Yes.
17 Q. One of the main goals for lowering blood sugar
18 is to prevent heart attacks?
19 A. Yes.
20 MR. VALE: Objection.
21 Q. (By Mr. Dickens) And prevent other
22 cardiovascular events?
23 A. Yes.
24 Q. And, Doctor, surely you would agree that you
25 would not undergo treatment that would, in fact,

Page 65

1 increase a risk of a diabetic patient to have cardiac
2 complications?
3 A. I'm sorry. One more time again.
4 Q. Surely you would not advise a patient, one of
5 your diabetic patients to undergo a treatment that
6 would, in fact, increase their risk to have a cardiac
7 complication?
8 MR. VALE: Objection.
9 A. If I know for sure it's going to increase the
10 risk, yes, I will not advise the treatment.
11 Q. (By Mr. Dickens) And you would not knowingly
12 prescribe a medication that could actually increase a
13 diabetic patient's risk of having a heart attack?
14 MR. VALE: Objection. Are you trying to
15 characterize the witness's prior testimony or is this
16 just a new question?
17 MR. DICKENS: It's a new question.
18 MR. VALE: Objection.
19 A. Yes. Unless, you know, there's certain
20 conditions, like if you know the patient, you know, has
21 got no alternative to that medicine and there is a risk
22 from dying from diabetes versus another risk from
23 having, you know, small damage or a different type of
24 damage, you know, from taking the medicine, we just have
25 to weigh the risks, you know, and the benefits of it.

Page 66

1 Q. (By Mr. Dickens) So what I'm taking is for
2 any choice of a diabetic medication, you're going to
3 weigh risks and benefits of drugs?
4 A. Right, right.
5 Q. And so therefore in that risk-benefit
6 analysis, you would take into consideration any
7 information you had with respect to one drug's
8 association of a potential cardiac event?
9 MR. VALE: Objection.
10 A. Yes.
11 Q. (By Mr. Dickens) Doctor, as you sit here
12 today, are you aware that Avandia is associated with an
13 increased risk of myocardial infarction?
14 MR. VALE: Objection. It isn't.
15 A. Well, it's -- it increased the risk of mainly
16 having congestive heart failure. About having acute MI,
17 I'm not sure.
18 Q. (By Mr. Dickens) Okay. Doctor, Avandia is in
19 a class of medicines known as TZD, correct?
20 A. Uh-huh.
21 Q. And are there any other medications in that
22 class?
23 A. No.
24 Q. Is Actos a TZD?
25 A. No, I don't think so.

Page 67

1 Q. Okay. Do you still currently prescribe Actos
2 to your patients?
3 A. Yes.
4 Q. Do you prescribe Metformin?
5 A. Yes.
6 Q. Do you prescribe the whole gamut of diabetic
7 medications?
8 A. Uh-huh.
9 Q. Are there any diabetic medications that you
10 don't prescribe?
11 A. No.
12 Q. Okay. Do you prescribe some more than others?
13 A. Yes.
14 Q. What are the ones that you prescribe more
15 often?
16 A. Metformin.
17 Q. Okay. So Metformin is --
18 A. Uh-huh.
19 Q. -- your go-to drug --
20 A. Yes.
21 Q. -- on diabetic patients?
22 A. Yes.
23 Q. For a patient who has not tried any other
24 medications before that presents to you with
25 uncontrolled diabetes, is it your standard of practice

Page 68

1 to start them on Metformin first?
2 A. Unless there's a contraindication.
3 Q. Contraindication with another medication?
4 A. A contraindication for the Metformin.
5 Q. Doctor, we've talked some about information
6 that you've learned about Avandia from when you were
7 prescribing it in 2005 until today. Can you give me a
8 summary of the information that you've learned about
9 Avandia in the past four years?
10 MR. VALE: Objection.
11 A. I will not prescribe it to someone who has a
12 history of congestive heart failure. That's the main
13 thing, you know, I learned.
14 Q. (By Mr. Dickens) And that also applies to you
15 won't prescribe it for someone who has a history of
16 myocardial infarction?
17 MR. VALE: Objection.
18 A. Yes.
19 Q. (By Mr. Dickens) And why is that?
20 MR. VALE: Objection.
21 A. Because it will put them at risk for having
22 congestive heart failure.
23 Q. (By Mr. Dickens) So Avandia would put that
24 patient in risk of having another cardiac complication?
25 A. Yes.

Page 69

1 Q. Are there any other medications that you don't
2 -- diabetic medications that you don't prescribe because
3 it puts a patient at risk for cardiac complications?
4 A. Cardiac complications, no.
5 Q. So Avandia is the only one?
6 A. I think so.
7 Q. So then, Doctor, it's true that -- or correct
8 me if I'm wrong that Avandia is, in your risk-benefit
9 analysis, the only medication that cardiac complications
10 weighs into the risk in your analysis?
11 MR. VALE: Objection.
12 A. Yes.
13 Q. (By Mr. Dickens) Now, Doctor, you talked some
14 about being visited by sales representatives. Do you
15 recall that?
16 A. Uh-huh, yes.
17 Q. Did you talk to any sales representatives
18 about your deposition today?
19 A. No.
20 Q. Okay. Now, you said when the information came
21 out about a potential association of Avandia and
22 myocardial infarction, you spoke to some sales
23 representatives about that?
24 MR. VALE: Objection.
25 A. I think so.

Page 70

1 Q. (By Mr. Dickens) Do you recall names of any
2 particular sales representatives?
3 A. No.
4 Q. Do you currently have Avandia sales
5 representatives who visit you?
6 A. Not in a few months.
7 Q. Okay. Do you recall their names at all?
8 A. No.
9 Q. What did the drug sales representatives you
10 spoke to about a potential association of Avandia and
11 myocardial infarction tell you with respect to that
12 information?
13 MR. VALE: Objection.
14 A. Not to prescribe it for someone who has a
15 history of congestive heart failure or a heart attack or
16 coronary artery disease.
17 Q. (By Mr. Dickens) Did they tell you about any
18 head-to-head studies run by GlaxoSmithKline?
19 A. They probably did, but I don't remember what.
20 Q. Okay. Doctor, you indicated that at some
21 point you learned that, in fact, Avandia can raise LDL;
22 is that true?
23 A. Yes.
24 MR. VALE: Objection.
25 Q. (By Mr. Dickens) Did you also learn at that

Page 71

1 point that Avandia could raise triglyceride numbers?
2 MR. VALE: Objection.
3 A. Yes.
4 Q. (By Mr. Dickens) So as far as you know, from
5 the information that you've read in your clinical
6 practice, does Metformin raise triglycerides or LDL?
7 A. No.
8 Q. Does Actos raise triglycerides or LDL?
9 A. No.
10 Q. Are there any other diabetic medications
11 besides Avandia that you know of that raise LDL and
12 triglycerides?
13 MR. VALE: Objection.
14 A. Not to my knowledge.
15 Q. (By Mr. Dickens) And, Doctor, part of your
16 goal in prescribing a medication to a physician -- or
17 strike that. Part of your goal in prescribing a
18 medication to control diabetes in your diabetic
19 population is to reduce their risk of macrovascular
20 complication, correct?
21 MR. VALE: Objection.
22 A. Yes.
23 Q. (By Mr. Dickens) High LDL is a risk for
24 macrovascular complication, is it not?
25 A. Yes.

Page 72

1 Q. And triglycerides is a risk?
2 A. Yes.
3 Q. So in your risk-benefit analysis on your
4 diabetic medications, Avandia is the only diabetic
5 medication that you take into consideration in
6 increase in LDL, correct?
7 MR. VALE: Objection.
8 A. I'm sorry. What was that?
9 Q. (By Mr. Dickens) In comparing the medications
10 in a risk-benefit analysis of the diabetic medications
11 you have, the only medication that you take into
12 consideration for risk of increased LDL is Avandia?
13 A. Yes.
14 Q. And the only medication in your risk-benefit
15 analysis of diabetic medications for an increase of
16 triglycerides is Avandia?
17 MR. VALE: Objection.
18 A. Yes.
19 Q. (By Mr. Dickens) And for those patients that
20 you said have cardiac history or a negative cardiac
21 history, whether that be myocardial infarction or
22 congestive heart failure, the only medication that you
23 wouldn't prescribe to them, being a diabetic patient, is
24 Avandia?
25 MR. VALE: Objection.

Page 73

1 A. Yes.
2 Q. (By Mr. Dickens) So, Doctor, if you had a
3 patient where Avandia and another diabetic medication is
4 equally effective, is it fair to say with all of these
5 factors of LDL, raising triglycerides, cardiac
6 complications, that you would choose the other drug
7 that's just as effective?
8 MR. VALE: Objection. Argumentative.
9 A. Yes.
10 Q. (By Mr. Dickens) So if the other medications
11 -- just to be clear -- were just as effective, you would
12 choose those other medications over Avandia?
13 MR. VALE: Objection. Argumentative.
14 A. Unless Avandia is the only alternative he's
15 got.
16 Q. (By Mr. Dickens) So being the only
17 alternative, the other -- if that patient has tried all
18 of the other medications?
19 MR. VALE: Objection. Argumentative.
20 A. Right, or he could not -- you know, he could
21 not take, you know, any other medicine for, you know,
22 whatever reason, allergy or side effects.
23 Q. (By Mr. Dickens) Okay. So, Doctor, when you
24 saw Mr. Self on your two visits and you ran his blood
25 tests, you've already indicated that he had high

Page 74

1 cholesterol numbers?
2 A. Yes.
3 Q. And he had high triglyceride numbers?
4 A. Not on the first visit. I did not find this
5 until the next visit, you know, a week later because the
6 first visit, he had the blood tests. The blood test,
7 you know, results, you know, were a couple of days
8 later. So when he came a week later, that's when I --
9 you know, I learned about the high cholesterol.
10 Q. So you learned on the second visit of his high
11 cholesterol, his high triglycerides?
12 A. As I said, that was nonfasting, the blood
13 tests, so I can't tell you if it's true high cholesterol
14 or not. Because I'm looking here. She labeled
15 wherever, you know, we checked his blood. They labeled,
16 Is patient fasting? They put no.
17 Q. And that was which record, just so I'm clear?
18 A. Number 11.
19 Q. Okay. So on Number 11, you indicated they
20 said no for fasting?
21 A. Yes.
22 Q. And can you just point me to where it says
23 that?
24 A. (Witness complies.)
25 Q. Okay.

Page 75

1 A. And they did not put that on the second blood
2 work here, I'm looking at.
3 Q. So the second blood work of March 29th, 2006,
4 you don't know whether that's fasting or not?
5 A. I don't know. We did not document that.
6 Q. Doctor, knowing what you know now today about
7 Avandia and its association with an increase in
8 triglycerides, LDL and a potential association with
9 myocardial infarction, it's fair to say that knowing
10 what you know now today about all of those that you
11 would have prescribed another medication for Mr. Self.
12 MR. VALE: Objection.
13 A. No. I would still prescribe it to him at that
14 time and even at this time.
15 Q. (By Mr. Dickens) Okay. Has your risk-benefit
16 analysis you've taken with respect to Avandia changed
17 since 2005?
18 MR. VALE: Objection. David, how many times
19 are we going over this? I mean, we -- this is about the
20 third or the fourth time. It's --
21 A. What was the question again?
22 Q. (By Mr. Dickens) Has your risk-benefit
23 analysis with Avandia changed at all since 2005?
24 MR. VALE: Objection.
25 A. Yes.

Page 76

1 Q. (By Mr. Dickens) Doctor, do you recall any
2 specific conversations you had with GlaxoSmithKline
3 sales representatives about myocardial infarction?
4 A. I don't remember.
5 Q. You don't remember any?
6 A. Specifics, no, I don't.
7 Q. Doctor, you indicated you have an uncle
8 currently on Avandia?
9 A. Yes.
10 Q. Does he have high cholesterol?
11 A. Yes.
12 Q. Does he have high blood pressure?
13 A. Yes.
14 Q. Does he have high triglycerides?
15 A. I don't remember, but he's taking medicine for
16 high cholesterol. I mean, I can't remember his LDL or
17 triglyceride levels, but...
18 Q. Doctor, you also indicated that the samples
19 your uncle has for his Avandia come from you?
20 A. Uh-huh. And from another doctor friend of
21 mine, too.
22 Q. Doctor, would you, as a prescribing -- as a
23 prescribing physician of Avandia to patients including
24 an own family member, be concerned if GlaxoSmithKline
25 did not want to publish studies because it put their

Page 77

1 drug in a negative light?
 2 MR. VALE: Objection.
 3 A. I'm sorry. Say --
 4 Q. (By Mr. Dickens) Well, Doctor, I've handed
 5 you earlier a protective order. Did you happen to have
 6 a chance to read that and endorse --
 7 A. Not the whole thing, no, but...
 8 MR. DICKENS: I'll just ask if we can go off
 9 the record and we'll discuss the signing of the
 10 protective order, or we can do it on record.
 11 MR. VALE: I mean, are you really going to
 12 show him some documents from --
 13 MR. DICKENS: I am, yes, Tony. If the doctor
 14 is willing to --
 15 MR. VALE: I object to doing that. He's never
 16 seen them before. How could he possibly evaluate
 17 documents that you're going to handpick a few pages and
 18 a few sentences out of a few pages -- out of ten million
 19 pages and you're going to ask him like, well, what if
 20 this or what if that? I mean, it's totally improper.
 21 MR. DICKENS: I appreciate your objection and
 22 I know that you've placed it on the record; however, if
 23 the doctor is willing to sign a protective order, I'll
 24 be willing to show him some internal documents.
 25 MR. VALE: I can't stop you doing it. I think

Page 78

1 it's absurd. I think it's inappropriate.
 2 THE WITNESS: In a few minutes, I can't do
 3 that. It's going to take time.
 4 MR. DICKENS: Well, we can go off the record,
 5 have you have a chance to read the protective order and
 6 if you're willing to agree to the terms of those, that
 7 you won't share these documents or this information with
 8 anybody else, then we can move forward.
 9 MR. VALE: I don't think that's the point. He
 10 can look at that. It's -- what you're going to do is
 11 ask him to look at some snippets and somehow or other
 12 make an evaluation of that.
 13 MR. DICKENS: Well, Tony, you have every
 14 opportunity to cross-examine the witness.
 15 MR. VALE: Well, I do, but I think what I'm
 16 hearing Dr. Faour saying is that he doesn't sound like
 17 he likes the idea of that.
 18 THE WITNESS: If you, you know, sent me those
 19 documents yesterday or a few days ago, I mean, I'd go
 20 over them. Now, I --
 21 Q. (By Mr. Dickens) All right. That's fine. If
 22 -- okay. I understand. Doctor, did anyone at
 23 GlaxoSmithKline ever indicate to you that they had an
 24 advisory board for cardiovascular complications?
 25 A. I mean, after -- yes. After that study, yes.

Page 79

1 Q. Did they ever indicate to you that they had an
 2 advisory board prior to the study being released?
 3 MR. VALE: Objection.
 4 A. Well, I learned, I mean, before that study
 5 about, you know, increased, you know, edema, you know,
 6 fluid retention with Avandia, but, I mean, to stop
 7 taking Avandia because of the congestive heart failure,
 8 you know, not until that study was published.
 9 Q. Doctor, did anyone at GSK ever tell you that a
 10 member of their own advisory board indicated that their
 11 own clinical trials raised a red flag for Avandamet
 12 because Avandia and Metformin showed a higher myocardial
 13 ischemic event than they had originally predicted?
 14 A. No.
 15 MR. VALE: Objection. No foundation.
 16 Q. (By Mr. Dickens) Nobody ever told you that?
 17 MR. VALE: Objection.
 18 A. No.
 19 Q. (By Mr. Dickens) Would that be something you
 20 would be concerned with?
 21 MR. VALE: Objection.
 22 A. About the red flag, you mean?
 23 Q. (By Mr. Dickens) Would you be concerned if
 24 GSK's own clinical studies showed that Avandia and
 25 Metformin, being Avandamet, showed higher myocardial

Page 80

1 events than other medications?
 2 MR. VALE: Objection. Foundation.
 3 A. Well, there is a lot of medicines, you know,
 4 that carries the risk of, you know, heart events. You
 5 just have to weigh the benefit and the risk and
 6 decide, you know, which one concerns you more.
 7 Q. (By Mr. Dickens) Doctor, you indicated
 8 earlier -- and correct me if I'm wrong -- that none of
 9 the other medications that you're aware of for treating
 10 diabetic patients have a -- that you have a concern
 11 with respect to increasing cardiovascular complications?
 12 MR. VALE: Objection.
 13 Q. (By Mr. Dickens) Isn't that correct?
 14 A. I'm sorry. What's that, again?
 15 Q. You indicated earlier that out of the diabetic
 16 medications that you prescribe, the only one that
 17 you're -- weigh in the risk-benefit analysis --
 18 A. Concerned, yes. Concerned more than the other
 19 medications, yes.
 20 Q. -- is Avandia? So, Doctor, assuming that it
 21 is true that Avandia, in fact -- or what has been said
 22 in these articles that there is an increased association
 23 with Avandia in myocardial infarction, would that have
 24 changed your risk-benefit analysis for Mr. Self?
 25 MR. VALE: Objection. This is about the

Page 81

1 fourth or fifth time we've had this, and it's
 2 speculative. I mean, how is this question different --
 3 Q. (By Mr. Dickens) And the difference is
 4 I'm saying assuming that this information is
 5 true --
 6 A. Yes.
 7 Q. -- that, in fact, Avandia increases myocardial
 8 infarction over the other diabetic medications, would
 9 that fact change your decision --
 10 A. No. No, it would not.
 11 Q. So it wouldn't change -- your risk-benefit
 12 analysis wouldn't change even knowing that Mr. Self had
 13 risk factors for myocardial infarction and prescribing a
 14 diabetic medication would, in fact, increase his risk
 15 for myocardial infarction? That wouldn't change at all?
 16 MR. VALE: Objection. Objection, David.
 17 You're just arguing with the doctor now.
 18 A. No, it would not because his blood sugar was
 19 way, way out of control to weigh the risk of -- a small
 20 risk of, you know, having, you know, heart failure from
 21 the medicine versus, you know, the risk from dying, you
 22 know, a week later from having blood sugar of 600 or,
 23 you know, getting into DKA or a coma from, you know...
 24 Q. So you needed to prescribe him a diabetic
 25 medication?

Page 82

1 A. Yes.
 2 Q. And the reason you didn't prescribe him
 3 Metformin alone is because he indicated that it wasn't
 4 working in the past?
 5 A. Right.
 6 Q. So had he not been on any other diabetic
 7 medications, would you have prescribed Metformin?
 8 MR. VALE: Object.
 9 A. Yes.
 10 Q. (By Mr. Dickens) And had Metformin actually
 11 worked at treating his diabetes, would you have
 12 prescribed Metformin again?
 13 A. If it helped his diabetes, yes, I would.
 14 Q. So if Mr. Self had documented evidence that,
 15 in fact, Metformin was effective at lowering his blood
 16 sugar, you would have continued or renewed his
 17 prescription for Metformin?
 18 MR. VALE: Objection. His blood sugar was
 19 over 500.
 20 A. Yes. If he documented that, yes.
 21 Q. (By Mr. Dickens) Okay. And when his blood
 22 sugar when he presented to you was at 500, he wasn't
 23 on any medications at that time?
 24 A. Yes, but he said -- that's what he told me and
 25 documented that. He was taking three different

Page 83

1 medications, you know, including Metformin and they were
 2 not doing any good for him. That's why he quit taking
 3 them, so...
 4 MR. DICKENS: Okay. If I can just take maybe
 5 a five-minute break and then I'll wrap up with maybe one
 6 or two more questions, if that's all right.
 7 MR. VALE: Sure.
 8 THE VIDEOGRAPHER: We're off the record at
 9 10:32 a.m.
 10 (Whereupon, a short break was had.)
 11 THE VIDEOGRAPHER: We're back on the record at
 12 10:36 a.m.
 13 Q. (By Mr. Dickens) Doctor, just a couple more
 14 questions for you. Just for some clarification, when
 15 you indicated that you wouldn't prescribe Avandia to a
 16 patient who had prior congestive heart failure and
 17 myocardial infarction, does that also include other
 18 cardiovascular complications?
 19 MR. VALE: Objection. We've gone over this
 20 several times.
 21 Q. (By Mr. Dickens) And all I'm asking here is
 22 there -- if a --
 23 A. No. There's CHF and the coronary artery
 24 disease.
 25 Q. So are you aware of something called a bundle

Page 84

1 branch block?
 2 A. Yes.
 3 Q. Would that be something you would consider in
 4 your risk-benefit analysis of patients who had a
 5 previous bundle branch block?
 6 MR. VALE: Objection.
 7 A. No. I mean, a bundle branch block, there is
 8 several reasons. You know, a lot of times, it's just
 9 normal. You know, you are just born with it or it just
 10 happens, you know, a conduction defect in the heart, but
 11 sometimes it happens from having, you know, a heart
 12 attack that affects, you know, that bundle, you know,
 13 and, you know, causes the block. But if it's just a
 14 bundle branch block without heart failure or heart
 15 attack, no, it would not affect my prescription.
 16 Q. (By Mr. Dickens) Now, Doctor, after your
 17 second visit in 2005 with Mr. Self, did you ever treat
 18 Mr. Self after that?
 19 A. Just from looking at the records, no.
 20 Q. You don't recall ever --
 21 A. No, I don't recall.
 22 Q. Ever recall prescribing any more medications
 23 for Mr. Self?
 24 A. I don't. Me just personally, I don't remember
 25 unless, you know, you've got some other records or

1 pharmacy records.
 2 Q. I'm just asking for your recollection.
 3 A. Yeah. I don't.
 4 MR. DICKENS: Okay. I have no further
 5 questions.
 6 REDIRECT EXAMINATION
 7 BY MR. VALE:
 8 Q. One question, Dr. Faour. Do you have a copy
 9 of the -- what we marked as Exhibit 2, the PDR?
 10 A. I should.
 11 Q. If you'd just turn to the page for Avandia,
 12 it's actually under the heading Precautions on page 1441
 13 in the top right-hand corner. Do you have that?
 14 A. Yeah.
 15 Q. And so we're looking at the PDR for 2005 for
 16 the section on Avandia and -- under the heading
 17 Precautions, and then on the left-hand side, it's got a
 18 heading Edema?
 19 A. Yes.
 20 Q. Do you see that? What is edema?
 21 A. Edema is fluid retention.
 22 Q. And does GlaxoSmithKline write in this
 23 information under Precautions "Since thiazolidinediones,
 24 including rosiglitazone, can cause fluid retention --
 25 A. Uh-huh, yes.

1 Q. -- which can exacerbate or lead to congestive
 2 heart failure, Avandia should be used with caution in
 3 patients at risk for heart failure"?
 4 A. Yes.
 5 Q. And then it goes on to say "Patients should be
 6 monitored for signs and symptoms of heart failure"?
 7 A. Yes.
 8 Q. And it refers you to the warning section of
 9 the label?
 10 A. Yes.
 11 Q. So that's what doctors were informed in 2005?
 12 A. Uh-huh, yes.
 13 MR. VALE: Thank you very much, Dr. Faour. I
 14 appreciate you coming in today.
 15 MR. DICKENS: Thank you very much. We're all
 16 set.
 17 THE VIDEOGRAPHER: We're off the record at
 18 10:40 a.m.
 19
 20 (End of proceedings.)
 21
 22
 23
 24
 25

1 CERTIFICATE
 2 I, TERRI COMSTOCK, Court Reporter and Notary
 3 Public in and for the State of Tennessee, do hereby
 4 certify that I reported by means of stenotype the
 5 foregoing deposition at the time and place stated in the
 6 caption thereof; that later the deposition was reduced
 7 to computerized transcription under my supervision and
 8 the foregoing pages contain a full, true and accurate
 9 transcript of the testimony of said witness on said
 10 occasion.
 11 I further certify that I am neither of
 12 counsel nor related to any of the parties of said cause
 13 nor in any manner interested in the result hereof.
 14 Given under my hand and seal of office on
 15 the 4th day of December, 2009.
 16
 17
 18
 19 _____
 20 Terri Comstock, Court Reporter and
 21 Notary Public
 22 State of Tennessee
 23 My Commission Expires:
 24 September 13, 2010
 25

